

EXHIBIT 5

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF TEXAS
SHERMAN DIVISION

WAPP TECHNOLOGY LTD : DOCKET NO. 4:18CV469
:
VS. : SHERMAN, TEXAS
:
MICRO FOCUS INTERNATIONAL : APRIL 20, 2020
:
1:30 P.M.

WAPP TECHNOLOGY LTC :
:
VS. : DOCKET NO. 4:18CV501
:
WELLS FARGO :

WAPP TECHNOLOGY LTD :
:
VS. : DOCKET NO. 4:18CV519
:
BANK OF AMERICA :

TELEPHONIC MARKMAN HEARING
BEFORE THE HONORABLE AMOS L. MAZZANT,
UNITED STATES DISTRICT JUDGE

APPEARANCES (BY TELEPHONE):

TECHNICAL ADVISER: MR. DAVID KEYZER
FOR THE PLAINTIFF: MR. TIMOTHY DEVLIN
MR. HENRIK PARKER
MR. SRIKANT CHERUVU
THE DEVLIN LAW FIRM
1526 GILPIN AVENUE
WILMINGTON, DE 19806

FOR THE DEFENDANT: MR. MARK REITER
MR. ANDREW ROBB
MS. ASHBEEY MORGAN
GIBSON DUNN & CRUTCHER
2100 MCKINNEY, SUITE 1100
DALLAS, TX 75201

COURT REPORTER: MS. JAN MASON
OFFICIAL REPORTER
101 E. PECAN #110
SHERMAN, TEXAS 75090

PROCEEDINGS REPORTED BY MECHANICAL STENOGRAPHY, TRANSCRIPT
PRODUCED BY COMPUTER-AIDED TRANSCRIPTION.

1 THE COURT: Good afternoon, everyone. Good to have
2 you all here via telephone. I would also point out I believe
3 our technical adviser should be on the phone, David Keyzer.

4 And then I moved this from video to telephone just to
5 be easier so I could do it in my courtroom. Our video
6 conference room is actually across the street in the annex,
7 and it was just easier for me to do this here, so I moved it
8 here so I can spread things out here in the courtroom on the
9 bench.

10 So I don't know if both sides have consulted with each
11 other about if you want to rotate the order. It doesn't
12 really matter to me, but let me check and see if somebody
13 has a comment on that.

14 And I guess let me go ahead and call the case. It's
15 4:18CV469, 501 and 519, and we're set today for a Markman
16 hearing. You've already made your appearances for the
17 record. As my judicial assistant indicated, please identify
18 yourself every time you speak so we can get a clear record.

19 And then has there been some discussion on who would
20 like to speak first, from the Plaintiff, on the order we're
21 going to proceed in today?

22 MR. DEVLIN: Your Honor, this is Tim Devlin on behalf
23 of Wapp Technologies.

24 We have not discussed that. I would say a typical
25 presentation is the Plaintiff would go first and we would go

1 sort of term by term. Of course, that's up to Your Honor.
2 In other words, we would go on a term and then Defendants
3 would go, and we would work through that and then we would
4 move on to the next term and reset.

5 We have a little bit of upfront background and legal
6 stuff that I would suggest we present as part of the first
7 go-round, in other words, a little background and legal --
8 obviously, recognizing the Court's familiarity with a lot of
9 this subject matter. So the slides are comprehensive.
10 We'll be conservative but we will be able to breeze through
11 some of that, and then go right into the first claim
12 element, which we believe is the preamble, and then have
13 Defendants go on that and then back and forth to whatever
14 extent the Court may desire, and then move on to the next
15 element.

16 I guess that's our suggestion from our perspective, but
17 we're open, and certainly whatever the Court's preference is
18 we would be happy to follow.

19 THE COURT: Okay. For the defense, who would like to
20 comment on our procedure today?

21 MR. REITER: Hi, Your Honor. This is Mark Reiter.

22 I'm fine with what Mr. Devlin suggested. Having done a
23 couple of hearings now with Your Honor, I understand that
24 you'll give both sides an opportunity to speak as much as
25 necessary, within the Court's patience. So if they would

1 like to proceed with a term first and then we get to
2 respond, and we'll work through the term until Your Honor is
3 satisfied, I have no problem with that.

4 I believe the parties have laid out in both the
5 briefing and the slides -- and we just exchanged slide decks
6 a few minutes ago, so I haven't had a chance to look through
7 all of them, but we have laid out all of the terms in the
8 same order, so that shouldn't be a problem.

9 THE COURT: Okay.

10 MR. REITER: I think that's right. Isn't that right,
11 Tim?

12 MR. DEVLIN: I believe that's correct. If somehow we
13 get out of order, I'm sure we can adjust on the slides.

14 THE COURT: That's fine. And I will let you know, I
15 have both of your slides pulled up on my computer here in the
16 courtroom, so since we don't have the ability to see when the
17 slide is changing, just let me know as we go through those
18 slides.

19 So if you both want to, I guess we'll start with the
20 Plaintiff first, if you have any background information, and
21 then go straight into the first few terms dealing with the
22 preamble. The only thing -- and then we can hear from the
23 defense on any background information you want to present
24 and then a response to the first term and we'll go back and
25 forth.

1 The one question I have is I know the last two terms in
2 dispute, the defense argued they're indefinite. I don't
3 know if defense wants to go first on those and then
4 Plaintiff respond to those. Typically that's the way the
5 Court has done that, but we can discuss that as we get to
6 the end on those.

7 So if Plaintiff wants to go ahead and proceed, we'll go
8 ahead and begin.

9 MR. DEVLIN: Thank you, Your Honor. May it please
10 the Court.

11 May I ask Your Honor if there's any sort of expectation
12 in regard to time limits today? I don't think we're going
13 to take all that long. There's not a ton of terms. Both
14 sides have a hundred or so slides, but I think there's a lot
15 of signposting and so forth because we're on a telephonic
16 hearing. But if Your Honor has guidance there, I would love
17 to know that just so we can try to meet the Court's
18 expectation in terms of total time today.

19 THE COURT: Well, typically Markmans last two -- two
20 hours, no more than three. But because we're having to deal
21 with the virus and our docket, you have the afternoon, but I
22 hope you don't take the whole afternoon.

23 MR. DEVLIN: Understood, Your Honor. I think
24 somewhere right in the midst of those estimates is probably
25 where we'll end up without any trouble at all.

1 THE COURT: Okay. Sounds great.

2 MR. DEVLIN: Thank you, Your Honor. So, again, Tim
3 Devlin. May it please the Court.

4 We did a fairly significant amount of background in our
5 tutorial, so I won't belabor this unless the Court has
6 questions. I'll just sort of breeze through these first few
7 up to about slide ten and hit the high points. If the Court
8 has questions, please, of course, interrupt and we'll
9 address them.

10 We have three patents in the case. I'm on slide three
11 right now of Plaintiff's presentation. There are three
12 patents-in-suit, as the Court knows. They're all the same
13 family and share many common specifications, but there are
14 some differences there.

15 In general, we cite in this presentation to the '864
16 just for convenience, the specification. Obviously the
17 claims will come from the patents.

18 Moving to slide four, just a little bit of background
19 on the inventor. The inventor was very experienced in this
20 industry. This is not a sort of garage invention, as it
21 were. This was developed over -- his experience was
22 developed over many years and extensive experience in the
23 development, offering and testing of apps.

24 Quickly on slide five, the inventor actually wrote and
25 developed code that implemented this invention. In fact,

1 that was the starting point and the invention grew out of
2 that. It was the conceptual notion. A number of the
3 figures in the patent are actually from the implementation
4 at the time. That's not always true in a patent case, and
5 we thought that was interesting to note.

6 Moving now to slide six, the leading work that led to
7 these inventions was done in the 2004, 2005 timeframe. As
8 sort of a measuring stick, thinking back, the first Apple
9 iPhone, which didn't launch the conceptive smartphones, per
10 se, but certainly really brought it wide-spread, that was in
11 2007, Your Honor, so we're a couple of years before then.

12 Your Honor, it sounded like someone just joined. Maybe
13 we should pause and take roll to see who that is, just for
14 the record.

15 THE COURT: Okay. Can we ask who just joined the
16 call for purposes of the record?

17 MS. YOUNG: Yes. Hi. This is Kathryn Young with
18 Micro Focus.

19 THE COURT: Very good. Thank you. Go ahead and
20 continue.

21 MR. DEVLIN: Thank you, Your Honor.

22 In short, what the invention is about, just so we're
23 all on the same page, is when you're developing an
24 application, you want to be able to test it on different
25 devices. You want to be able to test it under different

1 circumstances of what your network would look like. So on a
2 device that would be processing powers, screen size, memory,
3 so forth, things like that, the power of the resources that
4 are available on the device itself.

5 And, of course, from the network you're looking at
6 bandwidth, how the phone interacts with the network on a
7 typical basis as it's sending and receiving information,
8 things like that.

9 And in order to be able to develop an app for multiple
10 different phones and multiple different networks, you used
11 to have to physically test it on all these different things
12 to make sure it worked and to troubleshoot and to improve it
13 and to clear out any bugs, and to make sure that what the
14 app was needing in terms of its resources, both on the
15 device side and the network side, wouldn't exceed those
16 resources.

17 To do that took a lot of time. It takes awhile to put
18 the app as you're adapting it onto a phone and do that
19 testing and development and then bring it back and so forth.

20 So Mr. Poulin's idea -- oh, and one thing I should be
21 clear on, Your Honor, is that one of the common tools at the
22 time, Flash, had developed a tool and it had sort of a
23 generic model phone that it could emulate.

24 UNIDENTIFIED SPEAKER: Hello? Hello?

25 THE COURT: Did someone just join the call or did we

1 lose people?

2 MR. REITER: I think we lost Mr. Devlin.

3 MR. DEVLIN: Your Honor, this is Tim Devlin joining
4 again. Let me make sure first that everyone can hear me again.

5 THE COURT: Yes, we can hear you back.

6 MR. DEVLIN: Your Honor, I apologize for that. I
7 have a couple different ways to -- to operate my phone here at
8 home. I'm connected to one that is typically the more robust
9 way and rarely has issues. If I continue to have issues,
10 I will sort of reconnect the other way. I just have to put
11 myself in one particular spot in my place so that I can get
12 good signal. But hopefully that's the last time that happens.
13 I apologize.

14 THE COURT: No worries. Go ahead and continue.

15 MR. DEVLIN: Thank you, Your Honor.

16 So what I was saying, and I hope the Court heard this,
17 that one of the common development tools at the time, Flash,
18 had a generic model phone that you could sort of test the
19 app with and work with it, but it didn't give you specifics
20 about available phones or potential phones or so forth.

21 So Mr. Poulin's invention allowed the system to emulate
22 playing the app on a variety of different actual real-world
23 phones by looking at a set of characteristics and using
24 those characteristics from the phone, and the same thing
25 with network conditions.

1 That's generally what we're talking about here.
2 Obviously the specifics are in the claims and that's what
3 we're getting at today.

4 Slide seven I really just spoke about. There were
5 limitations in how the prior art worked. I think, just in
6 contrast, the advantages of what the invention are that I
7 just mentioned.

8 Slide eight -- I kind of got ahead of myself, Your
9 Honor. Slide eight is really what I just mentioned so I'll
10 pass that by. And the same with slide nine, other than to
11 note that the third bullet on slide nine really gets to the
12 heart of this, that the amount of time and money that is
13 saved -- this can be extremely expensive, and that is more
14 and more true as the variety of mobile devices has
15 proliferated over the years. There are more and more
16 options that consumers have, and therefore, more and more
17 possibilities for things to go wrong.

18 And then the fourth bullet also if you have confirmed
19 the app is good on a variety of devices, it's going to
20 launch more quickly. And the launch of a phone -- I'm
21 sorry -- of an app is really important, that initial public
22 feedback, acceptance and growth. If it gets bad reviews
23 from the start, that's going to impede the growth of that
24 app from the very early stage and perhaps its total
25 existence. Given the volume of apps that are available to

1 people these days, having negative early reviews can be
2 deadly. So avoiding that is very important to app
3 developers.

4 Again, Your Honor, here we are on slide ten and things
5 I've already spoken about in this brief intro so I'll slide
6 past slide ten.

7 So now moving to slide 11 and 12, the legal standards,
8 Your Honor, I won't belabor this because I know Your Honor
9 is very familiar with this, but there are a couple of
10 fundamental issues here about what you should go on in terms
11 of this construction effort today.

12 And if I may -- I'm sure Your Honor is familiar with
13 the quotes on slide 12 and slide 13. I would like to focus,
14 if we can, a little bit on slide 14. And first I want to
15 mention this top quote. Obviously this quote is talking
16 about the importance of intrinsic evidence versus extrinsic,
17 but the very first phrase is actually important here, the
18 clause I mean: When necessary to construe a claim.

19 There's a dispute that the Defendants have raised here
20 to suggest that simply one party identifying a potential
21 dispute and attempting to have the Court construe the words
22 of a claim, and other parties saying, no, there does not
23 need to be construction, what Defendants are suggesting to
24 the Court and cite cases like Eon and O2 Micro is that
25 somehow that obligates the Court to adopt a construction

1 that's different than the words of the claims themselves,
2 and that's just not the case. The law doesn't say that. In
3 fact, those two cases themselves don't say that.

4 I'll just note, since it's in the slides, that Eon,
5 this is Eon LP, it says that the determination that a claim
6 term needs no construction, which is what Wapp is seeking
7 here, or has the plain and ordinary meaning, those types of
8 conclusions by the Court may be inadequate under certain
9 circumstances. Eon goes on to talk about those
10 circumstances.

11 So it might be inadequate to say the term needs no
12 construction, but it's not automatically inadequate just
13 because one side raises a dispute and the other side says,
14 no, we think the claims are fine as is. O2 Micro said the
15 same thing but even clearer.

16 And I'm sorry. For the record, the citation is Eon 815
17 F.3d 1314 at 1318.

18 And O2 Micro, which Defendants have also cited, says it
19 even more clearly. It says a District Court -- this is a
20 quote: A District Court is not obligated to construe terms
21 with ordinary meanings, end quote.

22 Then the Court goes on to explain why. There's a
23 policy decision behind it. Again, I'm quoting now: Lest
24 Trial Courts be inundated with requests to parse the meaning
25 of every word in the asserted claims, end quote. And that's

1 02 Micro, 512 F.3d 1351 at 1360.

2 And this makes sense. Simply asserting that something
3 needs to be construed does not obligate a Federal Judge to
4 change the words of the claim, and that's what claim
5 construction is. It's substituting words in the claim for
6 other words that the fact finder will then use for a
7 determination of infringement and validity.

8 The Court instead is readily capable and has the power
9 to simply say this term needs no construction, even though
10 one side says that it should be. So if the Defendants are
11 suggesting otherwise is just wrong.

12 The second -- that's the first major legal issue here.
13 The second major legal issue is one that comes up a lot,
14 which is how are we going to leave the specification and
15 what does that mean with respect to the claims.

16 And the case law has quotes, of course, that seem
17 contradictory to one another, and the two in red on slide 14
18 here are from the same case. The claims should be read in
19 light of the spec is one, and the second is it's a cardinal
20 sin to read the limitations from the spec into the claims.

21 So how do we resolve that? And when you just look at
22 the overall body of the case law, it becomes clear that the
23 first quote doesn't mean you limit the claim to the
24 specification. It simply means, of course, that the
25 specification reads like what the claims might mean or what

1 the subject matter is and at least shows what the claims
2 cover because of the examples.

3 Now I'm going to shift to slide 15 and this is just a
4 simple representation. Your Honor, there are some types of
5 patents where the claims are limited to the written
6 description. Those are the so-called unpredictable arts,
7 which is simply biology, chemistry, pharma, that sort of
8 thing. But in all other types of cases, mechanical,
9 electronic, et cetera, the claims can generally be read
10 broader than the example in the specification, and that's
11 the little diagram we have on slide 15.

12 If you go to slide 16, this is what happens in these
13 cases when the Defendants look and point to all the examples
14 in the spec and they say aha, here's what all the examples
15 say, and so the claim should be limited. And that happens
16 over and over again. You'll see that argument from
17 Defendants regularly. The specification says that example
18 and that's how the claim should be restricted.

19 That's not what the law says. The law says the exact
20 opposite. It's a cardinal sin to do that. That's slide 16.

21 Moving on to slide 17, this is even worse, and this
22 happens a lot here. This is where the focus is on one
23 embodiment, to the exclusion of other disclosed embodiments,
24 so that the claim is read to exclude even things that the
25 patent specification cites or describes as examples, and

1 that happens here a lot as well.

2 Why do Defendants do this? Well, maybe they're
3 practicing one of those examples, and so by limiting the
4 claim, that would be an example that got deleted, and so now
5 they have created a sort of safe harbor for themselves. And
6 this happens sometimes, and we see this type of argument
7 here as well and we'll go through that.

8 Finally, also important is the prosecution history.
9 Yes, the prosecution history is one of the tools that can
10 legitimately limit the scope of a claim, other than what the
11 words on their face might mean.

12 There's no such argument here. Not any dispute, as far
13 as we can tell, are the Defendants saying there is a clear
14 and unmistakable disclaimer of subject matter through
15 prosecution. It's a very high bar. As far as we can tell,
16 it's not even being argued here at all.

17 And that's important, because some of the very common
18 tools that do permit construction of claims, changing the
19 claim language, patentee is his own lexicographer,
20 prosecution history has a clear disclaimer, those are not
21 even being argued here. The Defendant is on tenuous grounds
22 to try to alter the language of the claims in ways that are
23 narrower or exclusive of examples in the embodiments.

24 Extrinsic evidence. I'm now on slide 19. We see the
25 declaration of Dr. Shoemake and he -- and we'll go into that

1 in detail, but the bottom line is whatever he is saying when
2 the intrinsic record is clear and doesn't suggest a
3 construction, you can't alter that with extrinsic evidence.
4 That should not be done. That's slide 19.

5 All right. Your Honor, let me pause there and ask if
6 Your Honor has any questions on any of that or would like
7 some discussion or elucidation?

8 THE COURT: No, I'm good. Go ahead and continue.

9 MR. DEVLIN: Thank you, Your Honor.

10 So moving on to the disputed terms on slide 20, the
11 first is the preamble. That's slide 21.

12 And, Your Honor, we have a sort of common structure in
13 the slide deck. We -- we just put the claim term -- we show
14 where in the claim it shows up, just for the Court's
15 convenience.

16 Here there are two related terms in the preamble that
17 are being talked about here: A system for testing an
18 application for a mobile device. That's the heading on
19 slide 22 that we have in the exemplary claim 1 of the '864
20 patent. And then the similar term on slide 23: A system
21 for developing an application for a mobile device. A system
22 for testing and a system for developing.

23 Then we have, of course, slides showing both parties'
24 constructions on slide 24. The heading of this, Your Honor,
25 should say system for testing slash developing an

1 application for a mobile device. The Defendants' proposed
2 construction is the same other than putting in a word
3 evaluation or writing.

4 Okay. First there's a question about whether the
5 preamble should be limiting here. Your Honor, we really
6 don't have a position on that. Candidly, whether the
7 preamble is limiting or not is not meaningful to us.

8 What is meaningful to us is if it is limiting, there's
9 no construction necessary. Other than that, it's limiting,
10 that's fine.

11 But what the Defendants are trying to do is alter the
12 words of that preamble so that they say a lot more than they
13 actually say, and that's what gets into the problems.

14 Now, for each of these disputes we have a slide similar
15 to slide 25. I'm on slide 25 now, and it just goes through
16 a couple of basic important points, and if you will just
17 keep these in mind in every instance, within the claims
18 themselves the words that are in dispute are not being used
19 in a unique or odd way that would require some construction.

20 Number two, there's no contention that the patentee has
21 acted as his or her own lexicographer in providing express
22 definition for that term or phrase in the spec. Not
23 happening in this preamble cite.

24 Lastly, there is no argument at all of any clear and
25 unmistakable disclaimer of subject matter during

1 prosecution.

2 So that's where we end up as a starting point for the
3 discussion here. The claims, the spec, the prosecution
4 history all favor leaving the claim language alone. It is
5 what it is. It's clear on its face and there's no reason to
6 change it.

7 Moving to slide 26, the contrast here are Defendants'
8 proposals, and, Your Honor, I would submit that they suffer
9 from one fundamental issue, which is they're just confusing.
10 The words of the preamble here are very simple and
11 straightforward. They're not confusing. They're easily
12 applicable by anybody.

13 The Defendants are trying to put in a hodgepodge jumble
14 of aspirational limitations of the claim in the preamble,
15 trying to shovel that in into words that are not needed, and
16 to add that stuff in is just going to make things more
17 confusing, and we can see it in the constructions
18 themselves. The case law says let's not do that. That's
19 slide 26.

20 Looking at slide 27, there are four issues with the
21 Defendants' proposal, with their construction of the
22 preamble. The first here I'll start to address is this
23 issue of teaching a system that mimics, or the system has to
24 mimic something.

25 The first point we made, and Your Honor, I'm sure,

1 knows this, mimic is the proposed construction for the term
2 "emulate" which we're going to talk about later on. The
3 preambles don't even use the word emulate but the Defendants
4 are trying to read that word mimic into even the preamble,
5 so it's really a back door effort to get their emulate
6 construction in. We don't think there's any basis here.
7 That's one.

8 Talking about mimicking in general, we'll get to a
9 couple of points here, and that is whether this system is
10 restricted entirely to the concept of modeling, emulating,
11 mimicking, whatever you want to call it, as opposed to
12 testing the phone on a real device. And the answer is it's
13 not so limited. The patent contemplates using real-world
14 devices from time to time in the testing, as needed. You
15 don't necessarily have to do it but you can. And so to try
16 to limit the scope of the entire system in a way that might
17 be read to preclude that from happening would be wrong.

18 Looking at slide 28, we see one example of this, and
19 I'm looking at the upper right-hand clip and the highlighted
20 text there. The interface, the testing interface, may allow
21 an application to be deployed for final testing. So the
22 final testing is part of the process as it's disclosed, if
23 you want to perform that step, and you can do that. The
24 specific claim doesn't necessitate it, it's not required,
25 but certainly it can be included.

1 Looking at slide 29, if you look at the upper box here
2 first, the upper right-hand text box of the '864 patent at
3 column nine, 60 to 62, here it's talking about this is step
4 714, and if you look at step 714 in the flowchart on the
5 left-hand side, you can see it's a dotted line. It's an
6 optional step. It may be in some claims, may be in others,
7 may be recited, may be not. But it's an optional step.

8 And the specification discloses, though, that this is a
9 step that you can do using a real mobile device if you want
10 to. To say that the claim reads out the use of real-world
11 mobile devices if you want to would be wrong. Certainly
12 some elements of the claims are going to require emulation
13 or simulation of certain things. When those elements
14 require it, then that limitation is meaningful, but to limit
15 the entire system to that concept, that's what's wrong with
16 that word mimic.

17 Slide 33, a summary of what I just said and relevant
18 case law. Slide 31 is similar. The Defendants are looking
19 to read out the embodiments in the examples of the claims
20 and that's improper.

21 All right. Now, looking at other words that are
22 problematic.

23 By the way, Your Honor, I was reading Defendants' brief
24 again yesterday and somewhere along the way in their brief
25 they complain that we're picking on individual words of

1 construction and that we're not really making an argument as
2 to why, we're just complaining about them.

3 That's kind of wrong for two reasons. First, the
4 presumption is that the claims are going to take on their
5 regular meaning and that they can stand on their own, that
6 they don't need to be construed unless there's a reason to
7 construe it. That's the Defendants' burden to demonstrate
8 why something needs to be construed, not our burden to
9 demonstrate why it does not.

10 But secondly, in each of these instances where we're
11 taking issue with certain words in the proposed
12 constructions, it's because those words are too limiting or
13 too confusing or some other reason that makes it
14 unadvisable, unnecessary and wrong to substitute that word
15 or phrase for something that is recited in the language of
16 the claims themselves.

17 This is an example on slide 32 looking at the second
18 topic here. This is just signposting, Your Honor. The
19 evidence here is on slide 33 and 34, that developing an
20 application is more than just writing it. In the
21 construction that Defendants are proposing, if you track the
22 preamble there, it says "developing". They propose to
23 replace that word with "writing". Writing is more narrow
24 than developing. Developing includes lots of different
25 activities, any of which could be encompassed by what this

1 system does or assists with. And this is slides 33 and 34.

2 And in the same manner, looking at slide 35, where the
3 preamble says "testing", the Defendants are looking to
4 insert the word "evaluating". But there I say, again, we
5 have issue with the scope.

6 If we look at slide 36 and 37, we see the other
7 examples of what testing might mean. And I would submit,
8 Your Honor, that the word "evaluating" might lead to
9 confusion. Does that mean an operator is looking at
10 information and analyzing it, evaluating it? I don't know.
11 But that's another reason why inserting that word where it's
12 unnecessary would be problematic. That's slides 36 and 37.

13 Lastly, we have this issue of real-world mobile device,
14 so this is the tail end of the construction and what needs
15 to be modeled in a sense, and something is modeled.

16 And we take issue with this in a number of places, this
17 concept of real-world. You just don't need it and it's
18 confusing. In particular, the specification makes clear --
19 looking now at slide 39, and the left-hand box makes clear
20 you can be talking about pre-release mobile devices,
21 scheduled release or current mobile devices.

22 So, in other words, if you're planning a mobile device
23 or you know that someone is planning a mobile device or have
24 the expectation as an app developer of what the next
25 generation might look like and thinking ahead, hmm, I could

1 really use that processing power or that side view
2 capability or whatever, I can -- I can look up a set of
3 characteristics that will emulate that or model that and use
4 those in the system so I can start developing apps for
5 future devices.

6 So is that a real-world device? We don't know. That
7 future device may never materialize. That's why real-world
8 is wrong. It's overly limiting.

9 And on the right-hand box, still on slide 39, you
10 can -- this spec actually says that in the first highlight:
11 Allowing application development to start before a physical
12 mobile device is available. That's one of the advantages of
13 the invention here, and Defendants are looking to read that
14 out.

15 Or then they say, well, we're not looking to read that
16 out, Your Honor. We may hear that, well, real-world doesn't
17 mean it can't be in the future. We're in the kind of fight
18 or subsidiary fight that ambiguous claim constructions
19 invite and that's what this would be, at a minimum. We
20 think it's just wrong.

21 But even Defendants say, no, real-world really means
22 future things that are planned, which would be an odd way to
23 think about real-world. I think you're still inviting
24 confusion and a potential dispute down the line.

25 Your Honor, that's -- that's all for us on the preamble

1 unless Your Honor has any questions.

2 THE COURT: No, that sounds good. Thank you. And
3 who will be arguing for the defense? Let me pull up your
4 slides.

5 MR. REITER: Good afternoon, Your Honor. It's Mark
6 Reiter who will be arguing for the Defendant.

7 THE COURT: Very good. Go ahead. Thank you.

8 MR. REITER: All right. Thank you, Your Honor.

9 Well, that was quite a presentation from Mr. Devlin,
10 and I'll do my best to work through all of the issues and
11 cover the many disputes, and I think that is a key -- key
12 noun here is that there are many disputes between the
13 parties and many disputes that require the Court's help in
14 resolving.

15 Let me start with -- the way that I laid out our
16 presentation is not dissimilar to what Mr. Devlin did. I
17 have a brief summary of the background of the purported
18 invention. I'm not going to go into the legal issues in the
19 same way that Mr. Devlin did. Not going into them doesn't
20 mean that I agree with them. In fact, I disagree with
21 virtually everything he said about the law in the context of
22 what is happening here.

23 The cases are the cases and the cases say what they
24 say, but the way in which Plaintiff is trying to apply those
25 cases here is entirely wrong.

1 In fact, Your Honor, just as kind of a predicate to --
2 to our presentation, I have never, in the 30 years that I've
3 been doing this, been involved in a case where a party has
4 refused to take a position on any single claim term. I have
5 never been involved in a case where a party has said that no
6 construction is required of every single term that is
7 raised.

8 In fact, the Plaintiff didn't raise a single term,
9 which is not surprising, given their positions, did not
10 raise a single term for construction. Everything, according
11 to Plaintiff, either needs no construction or simply should
12 be given its plain and ordinary meaning.

13 But, of course, as Phillips says, as the Federal
14 Circuit has said and as I'm sure Your Honor is very
15 well-aware, the object of this exercise is, absent a -- an
16 example of the inventor acting as his own lexicographer, is
17 to identify -- where there is a dispute, to identify what
18 one of ordinary skill in the art at the time of the
19 invention would have thought these terms mean. That is the
20 so-called ordinary meaning. That is what Phillips and the
21 Federal Circuit has said over and over again.

22 And simply punting, simply saying that no construction
23 is necessary for every single term, particularly when there
24 are terms here like "simulate" and "emulate" and "profile
25 display window" that are technical terms that a lay jury

1 would not understand, to simply say that no construction is
2 necessary I think turns this entire process on its head.

3 So with that little preface, I will go through very
4 briefly, and we did provide Your Honor with obviously a
5 technical tutorial, but very briefly a summary of what the
6 alleged invention is and the problem that it purported to
7 solve, because I think that's very, very important in
8 understanding what these claims are trying to capture.

9 So if we look at slide three of our presentation, we
10 start with the provisional application, which is just
11 Mr. Poulin's business plan that he prepared and then filed
12 as a provisional application. It is -- it contains a lot of
13 ideas of things to do, with very little explanation of how
14 they are to be done.

15 But it starts off saying that there are going to be
16 700 million new handsets in 2005 and developers trying to
17 keep up with apps or programs that could be run on those new
18 handsets as they come out is very, very difficult and there
19 needs to be a way -- the provisional application and also
20 the patents explain there needs to be a way to allow the app
21 developers to keep up with that new introduction of phones.

22 What the provisional application talks about is it is
23 very difficult right now to do so, because as we see in the
24 bottom -- the bottom bullet or pasted excerpt that we have
25 down here is that transferring the application to a new

1 phone, a physical real-world phone, and trying to test it
2 out takes a lot of time and it's very costly, because you
3 have to go out and buy -- the app developer has to go out
4 and buy the phone.

5 So what Mr. Poulin appears to have conceived is, well,
6 let's use emulators. Let's -- instead of using real-world
7 devices, let's use emulators, and he points to Flash Lite
8 environment. That's not something that he developed.
9 That's something that came from Macromedia, and he was
10 building on top of that. And if we go over to slide four,
11 we see that concept carried forward to the patent.

12 And just as Mr. Devlin did, we are going to just cite
13 to the '864 patent, but all of this is found in the other
14 patents as well.

15 So the '864 patent in the very first column talks about
16 the 700 million new mobile phones expected to be released in
17 2005 and the -- the difficulty that app developers have in
18 keeping up with new programs that would run on these
19 devices, and the patent explains that transferring the
20 application to the device and playing it is really the only
21 way that the developer can decide or can determine whether
22 his or her new program can work. And again, Mr. Poulin
23 explains that is very time-consuming and very costly.

24 So what does he do? What is the solution? We see on
25 page five the solution. The solution is we're not going to

1 use real-world devices. We're going to use emulators, and
2 the patent is very, very specific and goes on for column
3 after column about what these emulators might do and how
4 precise they need to be.

5 We have Table 1. We have Fig. 2, as we see on slide
6 five, about how the emulator generates a mobile device
7 model. So it looks like, acts like, smells like virtually
8 the actual device, but it allows, according to the patent,
9 the developer to work more quickly and not have to go and
10 buy each device and can that way test his or her application
11 as it's being developed.

12 Mr. Poulin, as we see on slide six and this also comes
13 out of the patent, says you can get these emulators. He's
14 not purporting to have invented emulators or doesn't explain
15 how to build the emulators. He says you can buy them. You
16 can subscribe to them for a fee of ten to \$15 per handset,
17 which saves a lot of money, a hundred to \$200 per mobile
18 device. So he's explaining again, let's use these
19 emulators. It will speed things up. It will be less
20 expensive.

21 And he also says, as we go to slide seven, we want to
22 see how that application, the application under development
23 is going to operate on the mobile device, and the mobile
24 device has a certain amount of resources. And we saw -- and
25 we'll go over this in more detail as I get into the preamble

1 term, but we see back on slide five that the mobile device
2 has characteristics. It has a processor, processor speed
3 and memory size and storage size, and it even describes how
4 big the screen on the actual device would be.

5 And so back on slide seven, the patent explains that
6 you need to have a -- a display that shows what resources
7 are available of the device, and that's that red line, and
8 we'll refer to that as we go throughout the presentation
9 today as the cap out line or the capacity line.

10 And the application as it's running and those bars that
11 we see in Fig. 3 are different time sequences of the
12 application running. If a bar goes above that red line,
13 then we know that the resources available to the application
14 of the mobile device are too limited and the mobile device
15 is likely going to crash.

16 And the other thing that the patents describe is that
17 these mobile devices, because they are mobile devices, are
18 not just working in isolation. They operate on a network,
19 and operating on a network also consumes resources of the
20 mobile device. We see this on slides eight and nine.

21 So what the invention talks about, what the patents
22 describe is accounting for those resources consumed of the
23 mobile device by the network. So if a phone call comes in,
24 if a message, a text message comes in, that will consume
25 memory. It consumes processing power. And as we go from

1 slide eight to nine, we see that red line goes down because
2 the network -- the interaction between the mobile device and
3 the network takes up processing power, memory power, and so
4 the resources available to the application are limited.

5 And that's really what this purported invention is
6 about, is taking a virtual version of the mobile device, an
7 emulator device, testing the application on that device,
8 having a display which shows exactly how that app -- that
9 program under development is running in comparison to the
10 available resources of the mobile device, and then also
11 including in the mix the network -- the network consumption
12 of the resources of the mobile device.

13 So taking all that into account, then the app developer
14 can see if his or her app is going to work on that phone or
15 if it's going to cause the phone to crash. So that's the
16 background, and I think that background is very, very
17 important as we get into each of these terms and each of --
18 particularly the preamble, because that is what Mr. Poulin
19 purported to have invented, this emulated system or this
20 system that uses an emulator to develop these apps.

21 And it doesn't mean that you can't -- that a developer
22 can't go off and test the device on a physical device as a
23 final test, but what his invention is about, and it's very,
24 very clear from the provisional application, from the
25 background of each of the patents, that using real devices

1 and only using real devices doesn't work. It's too
2 time-consuming and it's too expensive. So you have to use
3 the emulator devices.

4 Now, Mr. Devlin talked about 02 Micro, and our reliance
5 on 02 Micro is demanding, respectfully, that this Court
6 resolve these disputes. 02 Micro does say that that is not
7 an excuse for a party or parties to ask the Court to resolve
8 the construction of every single term in the patents, and I
9 don't think that is at all the case here.

10 We see on slide ten the six terms grouped together that
11 are at issue here. We're not -- out of three patents and I
12 think there are 150 total terms, of course, not all asserted
13 here, but having six terms is very, very far from what 02
14 Micro talks about in the context of over-populating the
15 construction analysis.

16 We have been very diligent in identifying the terms
17 that there are a dispute for and which absolutely require
18 construction and resolution of that dispute.

19 So if we go to slide 11, and that is again 02 Micro:
20 Where the parties present a fundamental dispute regarding
21 the scope of the claim term, it is the Court's duty to
22 resolve it. And we do have a fundamental dispute with
23 respect to each of these six terms as to what they mean and
24 how they should be applied with respect to these patents.

25 Most particularly -- not most particularly, but

1 focusing now on just the first limitation, the preamble,
2 it's very, very clear that we have a dispute over scope that
3 needs to be resolved. And just simply saying no
4 construction necessary really I think turns O2 Micro and
5 this process on its head.

6 I've already talked very briefly, on slide 12, words of
7 a claim are generally given their ordinary and customary
8 meaning. We see Phillips cite to the Innova case in this
9 slide. The Court construing a patent seeks to accord a
10 claim the meaning it would have to a person of ordinary
11 skill in the art at the time of the invention.

12 And that's exactly what we have attempted to do here,
13 Your Honor, and we believe we have done. And we believe
14 that Plaintiffs, on the other hand, are just simply punting.
15 They want to wait, keep their powder dry, and hope that they
16 can keep these claims as amorphous as possible, through the
17 expert discovery phase and possibly trial.

18 So skipping on to now slide 15, we get to the preamble
19 term, and I'll pause for just a second and see if Your Honor
20 has any questions about what the alleged invention is or
21 anything that I described there.

22 THE COURT: No, I'm good with that, but let me ask or
23 make a general statement. Although Plaintiff hasn't really
24 wanted to take a position on whether the preambles are
25 limiting, the way I look at it, they are limiting, but I don't

1 see a problem with giving them plain and ordinary meaning.

2 I say that as you discuss your slides and your
3 presentation on this, of why someone skilled in the art
4 would not understand the limitations of the preamble,
5 without having to import other words that you put into it
6 like mimic or real-world mobile.

7 And so I just make that statement as you go through
8 your presentation, because the Court's view is the Court
9 finds or is probably going to have to find that these are
10 limiting, but I don't see why I can't give it its plain and
11 ordinary meaning and that someone skilled in the art
12 wouldn't understand that.

13 MR. REITER: Understood, and thank you for that
14 clarification, Your Honor.

15 I think the way that Your Honor put it is really the
16 crux of what the dispute is, is what would one of ordinary
17 skill in the art understand these terms to mean, based on
18 what the alleged invention is and what the patents disclose.
19 I think that a jury looking at this, a lay fact jury would
20 not understand what it means to be just a system for
21 testing, for example, an application for a mobile device.

22 I think it is absolutely critical to this invention, to
23 the extent that there is an invention, that that -- that
24 claim, it be clear to the jury that it requires the use of
25 an emulated device.

1 Now, if Your Honor wants to provide that or thinks it
2 better to provide that someplace else and we can talk about
3 where that might be, that's fine. But that is why I
4 think I'm -- and I feel good that the Court understands that
5 these limitations are limiting, and so I'll skip to slide 18
6 which kind of I think underscores that.

7 We see in Pitney Bowes that if the preamble is
8 necessary to give life, meaning and vitality to the claim,
9 then the claim preamble should be construed as if in the
10 balance of the claim. So it's talking about a construction,
11 and we see on that slide, slide 18, that a system for
12 testing can't just be, in the abstract, a system for
13 testing.

14 In patents and the provision I'll talk about, testing
15 on physical devices was known in the art. The patents
16 disparage that approach and the patent's purported solution
17 was to use an emulated device. Otherwise, without that
18 limitation, without that understanding, all that's left of
19 the body of the claim is really just software that does
20 something, and it is software that includes a display window
21 and simulates a network while a mobile device executes an
22 application.

23 Absent the preamble and understanding exactly what that
24 preamble means and construing it consistent with the way one
25 of skill in the art would understand it leaves the body of

1 the claim without any structure, without anything to
2 complete it.

3 And so we need to have the concept of the testing
4 system being done on an emulated device, and we see that's
5 all very consistent with what the abstract says on slide 19.
6 The mobile device is emulated in real time, and we see the
7 mobile device is emulated in every single abstract.

8 And we see, for example, on the '192 patent and on the
9 '678 patent that the model is based on characteristics
10 indicative of performance of the mobile device. It is
11 talking about the emulated version of the mobile device, not
12 the real version of the mobile device.

13 We see on slide 20 that the patents talk about in all
14 embodiments it is to be noted that emulation is performed.
15 It's performed on a processor extrinsic to the mobile device
16 that's being emulated when testing is not done on the actual
17 device itself.

18 Looking again at slide 21, we've already seen this
19 concept that two of the most adverse variables for the Flash
20 Lite developer are trying to load the device or the
21 application into the real device, the time and expense
22 associated with that.

23 THE COURT: Well, let me ask this.

24 MR. REITER: Sure.

25 THE COURT: In terms of the preambles here, they

1 don't refer to simulating, emulating or your use of the word
2 mimicking, so why should the Court impose that on the preamble,
3 especially --

4 MR. REITER: Well, first --

5 THE COURT: Let me just finish, because -- and add
6 something else. Especially considering mimic doesn't appear
7 anywhere else in either the claims or the specification, but
8 simulate and emulate appear in other disputed terms presented
9 by the parties. So why should such limitations be imposed like
10 a generic term system when you look at -- I'm just trying to
11 see. You're trying to add those into the preamble, further
12 limitations, and that's what I'm trying to understand is why
13 should the Court do that?

14 MR. REITER: Okay. I understand Your Honor's
15 question. So there's two concepts that I think need to be
16 understood here, and we're going to be getting into these later
17 but maybe it makes sense to skip ahead.

18 The concepts of simulation and emulation in these
19 patents are two entirely different concepts, two entirely
20 different concepts. The patents refer to simulation when
21 they refer to the network and simulating the network, very,
22 very consistent. And I have slides and maybe Your Honor has
23 seen those over the weekend that explain and show how the
24 patents consistently and exclusively refer to simulation of
25 the network, not simulation of the mobile device. With

1 respect to emulation, the patents only refer to the mobile
2 device as being emulated. Two very different concepts.

3 Consistent with that, these claims, with the one
4 exception of the '192 patent in claim 1, and we think that
5 was a drafting error in prosecution, but very consistent
6 with that, the claims do not ever refer to emulation of the
7 mobile device.

8 If you look at claim 1 of the '678 patent, it only
9 talks about simulating the network. Claim 1 of the '864
10 patent only talks about simulating the network. Claim 1 of
11 the '192 patent does talk about emulating the network, but
12 that's not right. That is an error in prosecution and I'm
13 going to go through that. And if the Court construes that
14 or keeps that word "emulate" there, that patent -- that
15 claim is invalid for lack of support in the written
16 description.

17 So to understand the difference between emulate and
18 simulate, one referring to the network and one referring to
19 the mobile device, then one realizes that this system for
20 testing, for developing, which is in the preamble, a
21 application for a mobile device has to be done on an
22 emulated device.

23 That is the hook. Renishaw talks about having a hook.
24 That is the hook for making sure that what the inventor
25 purportedly invented, a system for testing using an emulated

1 device and requiring an emulated device, is included within
2 the scope of the claim.

3 And let me pause there and see if I've answered Your
4 Honor's question, at least in part.

5 THE COURT: No, go ahead. Thank you.

6 MR. REITER: Okay. So going back to the slides, I
7 think there is an agreement between the parties if we go to --
8 and what we heard from Mr. Devlin today about the background of
9 the invention was very different from what we saw in their
10 tutorial.

11 If we look at slide 23, they agree that the
12 disadvantage in the prior art where it's using actual phones
13 is because it was extremely slow, and so what we had to do
14 was go to the emulated device.

15 And I mentioned a moment ago, and this kind of
16 dovetails with Your Honor's question of just a second ago,
17 that perhaps there's another place in the claim to -- to
18 capture that concept of emulation, and I think that's in
19 what we see on slide 24 where I have the '864, the '192 and
20 the '678 first claims where it talks about indicative of
21 performance of the mobile device.

22 If you remember, if we go back to the abstracts, the
23 abstracts talk about the model, which is the emulated
24 device, is based on characteristics indicative of
25 performance of the mobile device. It's not using the actual

1 device. If it were using the actual device, it wouldn't say
2 indicative of. It would say equivalent to or some other
3 characterization.

4 But here the claims are trying to capture that
5 emulation aspect, and that's carried out -- carried forward
6 or from the preamble where you have the antecedent basis for
7 mobile device. The mobile device is in the preamble and it
8 talks about the preamble in the body of the claim, and that
9 mobile device has to be an emulated device.

10 As I said, it is very clear as we go through every
11 single embodiment of the claims, and we cited, Your Honor,
12 at page eight of our brief In Re: Abbott Diabetes, 696 F.3d
13 1142. In that case the Federal Circuit talks about the
14 primary purpose of the invention was to provide, in that
15 case, a small compact device that operates a sensor and so
16 forth. And it goes on to say: Every embodiment disclosed
17 in the specification shows a sensor without cables or wires.
18 And it said that the patentee repeatedly, consistently and
19 exclusively depicted a sensor without wires, while
20 simultaneously disparaging sensors with wires.

21 That's exactly the situation we have here. The primary
22 purpose of Mr. Poulin's invention was to avoid using
23 physical devices. Every embodiment disclosed in the patent
24 uses an emulated mobile device and the patent disparages the
25 use of physical devices. We see on slide 25, slide 26, and

1 summarized on slide 27 how every embodiment teaches the use
2 of an emulator.

3 And, again, from Wapp's tutorial, on slide 28, the
4 patents are directed -- this is their words, not mine. The
5 patents are directed to systems and methods for developing
6 and testing mobile applications or mobile apps. They do
7 this by emulating mobile devices without loading up the app
8 onto an actual phone. That is the crux of this alleged
9 invention. Without it, there is nothing.

10 You see they double down on this on slide 29. The
11 invention overview, the model emulates the mobile device.

12 So the preambles are limiting. I understand the Court
13 already recognizes that, and the preambles are limiting
14 because they provide that context for the rest of the body
15 of the claim, and they can only do that if the concept of
16 that emulated device is attributed to the preamble.

17 Mr. Devlin talked about -- we will turn to slide 31 --
18 that we are reading out limitations or embodiments. That is
19 entirely false. Yes, the patent does say that as a final
20 test -- this is in column six. That as a final test, the
21 developer may, and it's permissive, may, test the device in
22 a final way on a physical device, but that's after all of
23 the testing is done on the emulated devices.

24 And we see this and I think Mr. Devlin referred to
25 these figures in his presentation but certainly in his

1 briefing. Fig. 7, which we see on slide 32 of my
2 presentation, shows the application claim within an emulated
3 mobile device at 704, and then you transfer the application
4 to the mobile device.

5 Well, if we look at what the patent actually talks
6 about, it's publishing the application to the mobile device.
7 Not testing it. The testing is done. The testing is done
8 at that point.

9 The same thing on Fig. 13. It talks about loading the
10 simulator interface into the mobile device model. The
11 emulator loads the network simulator. There is nothing
12 about testing in Fig. 13. Nothing about testing on the
13 physical device.

14 And going on to slide 34, again, yes, the patent says
15 that the developer may transfer the application to a
16 physical device for final testing, but that's permissive.
17 Certainly our construction, our construction of the preamble
18 doesn't take that away, doesn't preclude that, and we see
19 this in all types of situations and technologies.

20 As we see on slide 34 with the picture of the car, Ford
21 doesn't crash car after car after car to see how it's going
22 to work. It models it first and then it does a final
23 testing on a real car. And that's exactly what's described
24 in column six, lines 31 to 33.

25 Again, our construction doesn't preclude the final

1 testing on a mobile device, an actual mobile device. It
2 requires, on the other hand, that the testing include the
3 emulated device. Absent that, there is no invention here,
4 Your Honor. There is no invention.

5 And finally, Wapp's nitpicking about the use of testing
6 versus evaluating or developing versus writing I think is
7 just that, just nitpicking.

8 They haven't provided any construction. They haven't
9 provided any alternative. They've said that we are reading
10 things out, but that's not at all true.

11 Mr. Devlin's slide 33 shows various elements of the
12 patent, the emulation and testing and so forth. That's the
13 entirety of what's going on.

14 What we're saying, Your Honor, and what we think is
15 absolutely critical, again, is that in order for this claim,
16 for the body of this claim to have structure, for there to
17 be any meaning to the body of this claim, it has to be
18 focused on an emulated -- the use of an emulated device as
19 opposed to a real-world device, and there is absolutely no
20 dispute here.

21 We did meet and confer with Plaintiffs for the 4-5
22 submission that we submitted a few weeks ago, or a week or
23 so ago. We asked -- we thought we were close based on the
24 tutorial that, okay, we agree that emulated devices need to
25 be used here, and they said, no, we don't. We think you can

1 use a physical device without an emulated device.

2 So there is absolutely a dispute between the parties.
3 This is not some hypothetical dispute that Mr. Devlin was
4 alluding to in O2 Micro. There is a real dispute here and a
5 real dispute that requires the Court's assistance.

6 And I'll stop there, Your Honor, and see if there are
7 any other questions.

8 THE COURT: Let me ask one question on something you
9 briefly mentioned. The issue of in the '192 patent you want
10 to -- your construction there puts the word "writing". Where
11 do you find support limiting "developing" to "writing"?

12 MR. REITER: A fair question, of course. We felt
13 like that was conceptually what was being described in that
14 claim, given the background of the patent, given what we see in
15 the -- I'm sorry, turning back to slide -- slide four. With
16 the rapid development it requires that applications be designed
17 to run on these systems. Development systems targeted may
18 become obsolete. The only way to determine if an application
19 plays.

20 So looking at column one over to column two, focusing
21 on the '864 patent again, we believe that it was talking
22 about the app developer writing these applications, these
23 programs for these -- these devices.

24 If the Court doesn't believe that writing is the right
25 word or gerund, that's fine. What we were trying to do is

1 trying to avoid defining the term with a term.

2 I don't think we really have a problem with keeping the
3 word "developing" there. The concept here that I believe is
4 really critical, as I've said over and over again, and I
5 apologize for sounding like a broken record on this but I
6 feel very strongly about it, is the idea of the use of
7 emulated devices as opposed to real-world devices.

8 So, again, we were trying not to be tautological in
9 defining the term with a term. If the Court thinks that
10 word should stay, that's not an issue for us. The bigger
11 issue, again, is making it clear to the jury that this
12 system has to use an emulated device, and it's a system that
13 is developing applications that are going to be used on
14 mobile devices as opposed to just generically applications
15 that might be used on a computer or elsewhere.

16 THE COURT: Okay. Thank you. Would you like to give
17 a short response and then go on to the next term?

18 MR. DEVLIN: Thank you, Your Honor. Yes. This is
19 Tim Devlin again.

20 Just briefly, first just from a legal point and then
21 the specifics on preamble. The argument that I'm hearing
22 again is that somehow because the Defendants have asserted
23 that something needs to be construed, then we, as the
24 Plaintiff, must propose our own set of alternate words for
25 the claim language and then the Court must select from the

1 alternate set of words to supplant what is actually recited
2 in the claim itself, and that's just not the case.

3 The follow-on argument is, of course, that we, the
4 Plaintiff here, have no sort of entrant in the contest, as
5 it were, we've ceded the field, and so the Defendants win by
6 default. That is absolutely wrong.

7 Sometimes the best words to connote what the claim
8 means are the words that are used in the claim, and that's
9 what we have here in every one of these instances.

10 I don't always, as a matter of course, Your Honor, just
11 say no construction. Sometimes I think something needs to
12 be construed and we offer a construction. We do that in
13 many cases.

14 But here for every term the clearest language is what
15 is in the claim itself already. That's what should be
16 adopted. That's why we're saying no construction is
17 necessary.

18 On the specifics of the preamble, a couple things.
19 And, Your Honor, I'm going to set aside all of the -- unless
20 Your Honor is interested, I'm going to set aside for now the
21 detour into emulate and simulate itself and just focus on
22 the preamble here.

23 And one of the problems is that the Defendants are not
24 actually offering a plain and ordinary meaning here. Over
25 and over again what we're hearing is it says this in the

1 spec, it says this in the provisional, says it in the spec,
2 so we have to have it in here. That's reading in a
3 limitation from the specification.

4 If you look at the actual language of each of these
5 preambles, a system for testing an application for a mobile
6 device, it's plain as day. It does not need to be
7 construed. Its plain and ordinary meaning is best expressed
8 by those words itself.

9 That's not what the Defendants are trying to do here.
10 And simply because they're trying to push stuff into this
11 preamble that shouldn't be there doesn't mean that we have
12 to propose alternate language or that the Court has to adopt
13 some alternate language. That's not how the law works. So
14 that specifically is on the preamble.

15 And talking more specifically here, the Defendant is
16 sort of acting as if the rest of the claim language doesn't
17 exist. The claims define the scope, and sometimes these
18 claims use the word emulate, in which case that will be
19 required, and sometimes they don't.

20 A simple example is claim 1 of the '864 patent. It
21 talks about simulating a variety of network characteristics
22 but nothing in that claim involves emulating. It does not
23 recite emulating anything in the claim. That word does not
24 appear in any form, emulate, emulated, at all.

25 What the Defendant is saying is, well, that's required

1 because of what the spec is saying. That's not the case.
2 And they say we're not reading out any embodiment because
3 the spec is loading it up at the end. That's not the case.

4 If we go back, Your Honor, if we may, to our slide,
5 Wapp's slide 29 and 28, the embodiment is described in the
6 specification itself. Looking at the top quote, it's
7 talking about step 714, which is testing the network, which
8 is optional, but it is recited in some of these claims, like
9 claim 1 that we just talked about, and it cites to the
10 testing application, for example, application 104, which is
11 the reference we'll use, running on a mobile device, that is
12 a mobile phone. Right there, when it says mobile device,
13 this says e.g. mobile device 114. What is that? If you go
14 back up to slide 28 and see Fig. 1A, you see mobile device
15 114 is the actual device.

16 So the claims that don't cite emulate but recite
17 simulate the network environment could include potentially
18 the use of a real-world phone. That's what the Defendant is
19 leaving out. That's the embodiment that was read out by
20 inserting in the preamble a requirement of mimicking, which
21 is the word for emulate. And applying that to the preamble
22 throughout the whole set of claims, even if some of those
23 claims don't actually recite emulate, that's a problem.
24 That's not plain and ordinary meaning. That's reading one
25 embodiment in the claim to the exclusion of others.

1 And plus, I'll say, Your Honor, the word nitpicking,
2 that changing a word from developing to writing is
3 nitpicking. Your Honor, there are only two steps in this
4 whole endeavor in the long run, interpreting the claims and
5 then applying those interpreted claims to see if there's
6 infringement and validity. And if in interpreting claims
7 we're going to change words, particularly words that are now
8 (unintelligible), that's a problem. It's going to impact
9 things tremendously.

10 And none of this is nitpicking. All of this is
11 critical. Every word matters. That's why generally, unless
12 there's a reason to change the words, don't construe them.

13 Okay. Any questions there, Your Honor? And I'll move
14 on to the next step.

15 THE COURT: No, go ahead.

16 MR. REITER: Your Honor, can I -- I'm sorry. This is
17 Mr. Reiter. Can I interrupt for just one second and make one
18 very quick response?

19 THE COURT: Yes, go ahead.

20 MR. REITER: Thank you. First, I hear Mr. Devlin
21 saying that we don't need emulated devices. I think that turns
22 this patent, this invention to the extent there is one, on its
23 head. It's entirely contrary to what the patent discloses and
24 to what the inventor said he is trying to -- the problem he is
25 trying to solve. So that's point number one.

1 Point number two, finally, is with respect to Fig. 7
2 and that step 714, all that is saying is that, yes, a real
3 device run on a system, but that is not talking about
4 testing the application on that real device. It is just
5 talking about a real device running on a network. That's
6 all that 714 is.

7 And we say, as I already explained, at step 716, once
8 the testing is done, then it is published to the mobile
9 device. There's no testing on a mobile device in Fig. 7.

10 Thank you, Your Honor, for that indulgence.

11 THE COURT: Okay. Mr. Devlin, go ahead.

12 MR. DEVLIN: Thank you, Your Honor. Moving on to
13 application -- Your Honor, I won't address that unless the
14 Court has questions. I'll move on.

15 THE COURT: Go ahead.

16 MR. DEVLIN: Hearing nothing, thank you, Your Honor.

17 The next word is "application". Slide 41 shows it
18 within the context of the claims and then slide 42 just has
19 the Defendants' proposed construction and Wapp's proposal as
20 well, again, no construction.

21 And, Your Honor, this one, I would suggest, is a really
22 good example of where adding words is just going to confuse
23 the issue. It's going to create confusion where none
24 exists.

25 We all know what an app is now. I don't think there's

1 going to be a lot of confusion in anyone's mind what an app
2 is, and we're going to apply this claim accordingly and go
3 forth. That's it. It's a very simple term. It's
4 well-understood from a technological standpoint these days
5 and from a lay person standpoint. No juror will be confused
6 by the use of this word.

7 Moving on to slide 43, again, these same three bullets
8 are true. The term is used in the claims in its normal
9 meaning. There's nothing special about it that would
10 require some interpretation. There's no express definition
11 in the specification and there's no argument that there's
12 any disclaimer from prosecution. All of the normal claim
13 construction tools say, leave it alone, it's fine.

14 Moving to slide 44, we want to talk about some of the
15 issues now with what Defendants do. The first one is using
16 the term "program" as a substitute for "application", and
17 going on to slide 45, I don't think there's a dispute that
18 an app is some sort of program, but there's two problems
19 with it though using that word "program".

20 One is the specification happens to use program when
21 talking about the broader system, as opposed to the app that
22 is under development and testing and so forth. So to the
23 extent someone is looking at the spec and a juror picks up
24 an exhibit in the jury room or whatever, there is some
25 potential for confusion by using that word in place of

1 application.

2 The other problem -- and here I happen to be on slide
3 45, Your Honor, but in slide 45 it's going to go through
4 showing clearly how what's referred to as the app is the
5 component that's being tested or developed, not the broader
6 system, and that is what is referenced as program now in the
7 specification, and we have citations to that in our brief.

8 The other problem that exists is using the word
9 program, you realize that an app is a program but a program
10 is not necessarily an app. And, Your Honor, I'm being a
11 little colloquial here to say that, but what I can say is
12 that the world of a program can include things that are not
13 apps. So once you insert the word program and begin your
14 construction by replacing the word app with that word,
15 you're now obligated to follow through and provide
16 additional words that define the word program into the
17 things we're talking about here, which is apps. So you
18 specifically have to go down this path of using additional
19 words that then create additional problems, and that's
20 what's happening here.

21 So slide 47 talks about these problems in their
22 proposed construction, that it is designed to run on a
23 mobile device, whatever that means. Does it mean the
24 software was originally designed to run on a desktop
25 computer but is now accessible to be run in what looks like

1 an app on a mobile phone? That now that is excluded from
2 the claims? That doesn't seem right, especially as we go on
3 and on in time and mobile phones become more powerful. I
4 don't think that word "designed to run" is going to be
5 particularly useful.

6 Of course, if you look at slide 48, there's many
7 different ways that the specification talks about how an app
8 might be utilized within a system: Played on, published to,
9 running within, whatever, without having to get into the
10 intent of its design, which is just going to invite some
11 confusion and potential dispute down the line.

12 Lastly, starting on slide 49, back to our signposts,
13 the problem here that Defendants' construction -- and,
14 again, they're forced to do this. By starting with a broad
15 term and then trying to delineate it, they're forced to make
16 a problem with the construction and here it's that it
17 renders other claim language superfluous.

18 If you look at slide 50, we can see that. The
19 construction proposed by Defendants is on the left-hand side
20 of slide 50, and the right-hand side is the typical claims
21 where the term appears.

22 In the preamble, if you insert the words "program
23 designed to run on a mobile device" for the word
24 "application", you get something that reads "for testing an
25 application designed to run on a mobile device or a mobile

1 device".

2 If we go to slide 51, as Your Honor is aware,
3 interpretations that render other claim terms superfluous
4 are disfavored because they're duplicative, because they
5 don't take into account the whole structure of the claim
6 language, and that invites confusion.

7 Your Honor, that's all we have on that, if Your Honor
8 has any questions.

9 THE COURT: No, that's fine. Thank you. Then let me
10 ask defense just a general question. Why would the word
11 "program" be any clearer or more accurate than using the term
12 "application"? Because wouldn't someone skilled in the art
13 understand what application means?

14 MR. REITER: I -- Your Honor, Mark Reiter for the
15 Defendants again.

16 I'm not sure that that's true. So if we look at slide
17 38 of our presentation, these are dictionary definitions
18 that Wapp proposed with its opening brief. These are not
19 our definitions or our dictionaries, but this is the
20 extrinsic evidence that Wapp proposed.

21 We see that an application can be pretty much anything,
22 and in fact, Wapp's own dictionary, the Microsoft Dictionary
23 we see at the bottom of the page, talks about a program
24 designed to. That's exactly what our construction
25 describes. It is a program designed to run on a mobile

1 device.

2 And that is also consistent, Your Honor, with slide 40
3 where it says in the background again, this rapid mobile
4 device development requires that applications designed to
5 run on these mobile devices. So we use the word "program"
6 because it is consistent with what one of ordinary skill in
7 the art would understand an application to be, that is, a
8 computer program, but it's a computer program in this
9 context designed for a particular use, that is, for a
10 particular technology, that is, one designed to run on a
11 mobile device.

12 That's consistent with Wapp's dictionaries, that's
13 consistent with what the specification says, and that's how
14 one of ordinary skill in the art, we believe, reading this
15 patent would have understood the word "application".

16 And Mr. Devlin talks about everybody understands what
17 an app is, but I haven't heard him say what it is.
18 Everybody understands what it is. I heard him say that
19 multiple times, but he never said what it is.

20 We have provided for the Court, for the jury, a
21 construction that is clear, that is true to the context of
22 the claims and the specifications, and would help the jury
23 understand what exactly the limits of this patent is and
24 are.

25 And I think I went beyond Your Honor's question. I'm

1 sorry.

2 THE COURT: Well, let me ask, based on that answer,
3 are you contending that "application" has some special meaning
4 in these patents-in-suit? And if so, where's the intrinsic
5 evidence to support that?

6 MR. REITER: I -- yes, I think we are saying that it
7 has not necessarily a special meaning, but it is a restricted
8 meaning. It's a limited meaning. In fact, Wapp in its reply
9 brief agrees that it is a limited meaning.

10 If Your Honor will bear with me, on page four of their
11 reply, they say the language of the claims establishes the
12 opposite. Indeed, because the surrounding claim language
13 shows that the quote/unquote application is more specific
14 than its normal use.

15 And that's exactly what our construction is intended to
16 capture is that the patents here, just as Wapp itself has
17 explained to Your Honor, the use here is more restrictive
18 than just the broad use that one might find in these
19 dictionaries that we just looked at.

20 And the specification and the background, now to answer
21 Your Honor's question, is all very, very clear. We start
22 with the claim and the claim talks about, as we see on slide
23 39, that the mobile device is executing the application. We
24 see on slide 40 again, and I mentioned this briefly, that
25 because of the rapid development, it requires the

1 applications designed to run on these mobile devices. Also
2 on slide 40, transfer the application to the device.

3 Going on to -- I think I lost my page -- slide 41, the
4 abstracts executing in real time in a mobile device. This
5 is the application playing on a mobile device.

6 Slide 42 from Figs. 6 and 7, load the application into
7 the model, into the model, the emulated version of the
8 mobile device. These are programs that are specific.

9 And we see this same language I was talking about a
10 moment ago on the next slide, I believe slide 43, because
11 the surrounding claim language shows the application is more
12 specific than its normal use.

13 We haven't heard a definition from Wapp, from the
14 Plaintiff, that explains what that more specific definition
15 is, more specific use is. Our construction captures that.

16 Their tutorial is consistent, that it's testing mobile
17 applications or mobile apps. We see on the right side of
18 the next slide where -- of the same slide, the model
19 emulates the mobile device and can play the application and
20 show what would be displayed. So the mobile device is
21 playing the application. It's not just a generic
22 application. It is something specific to the mobile device.

23 And the last slide that we have, this is slide 45 of
24 this part of the presentation, is we believe that program
25 accurately captures what this is. It is a computer program.

1 It's a computer program that has a specific purpose and
2 context. Its context is that of mobile devices.

3 And with respect to run versus play, we're not wedded
4 to run. We're not wedded to play. They both -- both of
5 those verbs appear in the specification. And I think I
6 showed you on column one in our slide 40 how it talks about
7 applications designed to run on these devices.

8 Then finally, with respect to the redundancy, I'm not
9 really sure that there is any redundancy here. But if there
10 is, then our construction of the preamble, which takes all
11 of this into account, that identifies the emulated device
12 and that says that it is an emulated mobile device that is
13 testing an application designed to be run on a mobile
14 device.

15 So the specification very, very clearly supports the
16 concept that this application is not just a generic
17 application that could be used in any context. Wapp agrees
18 with that, as we've seen in their reply brief, and our
19 construction captures that.

20 THE COURT: Let me ask, so if the Court finds that
21 the preambles are limiting, isn't your proposed construction,
22 run a mobile device, isn't that redundant? I mean, how is that
23 not?

24 MR. REITER: I don't think that it is, Your Honor,
25 because, as I've said -- and maybe I'm hoping too

1 optimistically. I'm hoping that the Court -- at least that I
2 was clear in what I explained before, that our construction of
3 the preamble, of the entire preamble, takes out any redundancy
4 that was there, but I don't think, even without that, that
5 there would be redundancy.

6 It is a program designed to run on a mobile device or a
7 mobile device. It's a system for testing an application.
8 What is that application? It's a program designed to run on
9 a mobile device and it's a system for testing a mobile
10 device.

11 So the application provides context. The application
12 has to be one -- a program that runs on the mobile device.
13 I think that's very clear.

14 I don't hear -- although it appears that they're saying
15 that if that redundancy is there, then it's clear that the
16 application has to be limited to that mobile device, but I
17 don't hear Wapp taking any position at all.

18 THE COURT: Okay. Thank you. Then one other thing
19 is you just indicated you're not wedded to either run or to
20 play. So you're not arguing there's anything special about how
21 the application operates, are you?

22 MR. REITER: No, Your Honor. As I said, the word
23 play, the word run, the word execute, all of that appears in
24 the specifications. We felt like run was a very clear and
25 understandable way of describing this. Play is fine as well.

1 The context of it is what's critical, the context in
2 which this program -- the context in which this program is
3 designed, and that context is for a mobile device, not just
4 generically a computer or a server or something else. It's
5 for a mobile device.

6 THE COURT: Okay. Thank you. I guess we'll go ahead
7 and go on to the next term.

8 Mr. Devlin, I don't know if you want to respond to
9 anything. I have a general question I want to ask before we
10 start on simulate and emulate, but I didn't know if you had
11 a response to say before we begin that.

12 MR. DEVLIN: Just very briefly, Your Honor, thank
13 you, and then I'll pause and we'll deal with your question on
14 emulate and simulate.

15 Right at the end the Defendants' counsel indicated that
16 the big issue is saying that an app is something that is on
17 a mobile device, but the claims already say that. There's
18 no need to keep saying that again. The preambles say an
19 application for a mobile device, and so it's unnecessary
20 entirely to try to say something more to make that point,
21 because it's already made in other claim language. That's
22 the redundancy issue.

23 Now, what Defendants are saying on redundancy, Your
24 Honor, is they have construction of the preamble that really
25 accounts for the whole preamble, including the word

1 application already, and so you would kind of -- when you
2 use that construction, you sort of sweep away the redundancy
3 issue is I think what they're getting at.

4 The problem is it assumes that the Court adopts the
5 Defendants' proposed construction for the preamble, as
6 opposed to what the Court's concern I think is, which is the
7 Court finds it to be limiting, but then it doesn't need to
8 be construed. It's clear on its face. And once you do
9 that, now you have a problem with that. The redundancy is
10 an issue, and so I think that's just to clean that up.

11 That's really all I wanted to say there, Your Honor.

12 THE COURT: Okay. Thank you. So as to simulate and
13 emulate, just a general proposition is why shouldn't we presume
14 that these different terms have different meanings in the
15 patents, since they were used differently, versus you want to
16 say we can use them interchangeably? So as you craft your
17 presentation on this, that's my general overarching question.

18 MR. DEVLIN: Thank you, Your Honor. And I don't know
19 if we actually ever said it that way, but if that came across
20 or certainly if we did say something that way, that they're
21 interchangeable, that's not what we intended, so I appreciate
22 Your Honor raising that and I'll try to address that as I go
23 through the points here in the presentation.

24 One thing to note at the outset, Your Honor, is that
25 when you sort of think about what does this claim mean, it's

1 a little confusing. How do we deal with what emulate
2 implies in this claim? Looking at the claim language as a
3 whole, both emulate and simulate, each case carries forward
4 or preludes to additional claim language. So you can't --
5 there's no need to pluck this term out of thin air and look
6 at it in isolation and say what does that mean, because in
7 each case, the claim kind of tells us as part of a broader
8 phrase what the claim requires.

9 For example, I'm looking now at claim 20 of the '864
10 patent. And if Your Honor wants to take a moment -- I don't
11 have it on my slides, it occurred to me as I was hearing the
12 argument today, so I flagged it here. I can read it or if
13 Your Honor --

14 THE COURT: No, that's fine. I have those in front
15 of me, all three of them, so that's fine. I have them in front
16 of me.

17 MR. DEVLIN: Thank you, Your Honor. So looking at
18 the '864 patent, claim 20, when we see the word emulate, it's
19 not just there by itself, emulating. It's emulating each of
20 the mobile devices in real time using respective model running
21 on a processor extrinsic to the mobile device. In other words,
22 in this claim right here, it's not running on the mobile device
23 itself. Instead, the emulating involves in real time using
24 respective models of a device. The claim informs claim it's
25 talking about when it's talking about emulate.

1 It generally does the same thing with simulate. It's
2 talking about simulating network characteristics.

3 In the context of the claims, we don't think those
4 things are unclear in any way. We think they're fairly
5 straightforward.

6 I think the Defendants raise a good point or a
7 reasonable point in that in most cases the patentee sort of
8 utilized the word emulate when talking about the -- what
9 happens with the app on a mobile device, sort of emulating
10 that process, and generally used simulate to talk about the
11 broader environment, like network characteristics and so
12 forth.

13 But each claim on its own reads as it does, and in the
14 context of each claim, these words, what they mean are
15 clear. So I think they may have used two words, Your Honor,
16 in order to delineate, because some claims have emulating
17 something and simulating something else. So by using two
18 different words, you can keep track of what's going on with
19 more clarity. That's the point, before we get into the
20 details here.

21 Looking at slide 53, that's just where the words appear
22 in the claims. Slide 54 outlines the parties'
23 constructions.

24 Slide 55 is our typical recitation or reconciliation
25 that what is happening here is not one of the normal needed

rationales for claim construction. Nothing in the claims causes these things to be used unusually. They give context to it. There's nothing unusual about it that requires a different meaning to these words than they normally have.

The specification doesn't provide any express definition, certainly not the express definition -- certainly not the definition Defendants propose here.

No prosecution history estoppel.

Then there's a fourth one here, which is that their own expert concedes that these words are not used in any special way in these patents. And if you go to slide 56, we'll deal with that one first.

And I won't bother to read these, Your Honor, but if you read the highlighted portions, one with respect to emulate, one with respect to simulate, and the expert saying, yeah, there's no special meaning here. Plain and ordinary meaning as understood is fine. So there's really no need to inject anything new for that reason.

All right. Slide 57, Your Honor, these are the problems with Defendants' construction. They fall really into two separate categories. One relates to intrinsic record and the other relates to the extrinsic record.

First, intrinsic record, and we're at slide 58. And I apologize for being a little snarky here, Your Honor. I think I would redo this slide if I could. But the reality

1 is that there is no usage of Defendants' proposed terms in
2 the intrinsic record. The Defendants, looking at the world
3 outside the intrinsic record, entire world and saying to
4 themselves, hmm, we're going to pick a couple of words,
5 let's do that.

6 And one thing that's in their briefing, Your Honor,
7 that I don't know if it's in the slides is that the
8 Defendants' expert was not involved in the selection of
9 those words. They were selected by the Defendants or their
10 counsel, and they were presented to the expert and he agreed
11 with them. But they're not from the intrinsic record.

12 In fact, if we look at slide 59 and I'll sort of --
13 yes, let me stop at 59. The specification uses the word
14 "emulate" regularly obviously, and "simulate" regularly.
15 And so the specification, to the extent that these words
16 need some sort of conveyance, the specification just uses
17 these words. These are the natural words that are being
18 used and the words are clear from the context of the claim.
19 Not the Defendants' proposals. They do not come from the
20 intrinsic record.

21 Slide 60 shows one of the problems with using a word
22 that doesn't appear anywhere from the intrinsic record, and
23 that's that if you look at the patents themselves and see
24 what happens, the application is run -- the application
25 itself is run, not mimicked. It's emulated as being run on

1 a target mobile device, and that's what's modeled is this
2 target mobile device characteristics. The application does
3 not mimic, and their construction confuses these issues.

4 And I'm going on to slide 61 and this is where,
5 speaking of confusion, Your Honor, the problem -- you know,
6 any effort at claim construction should at least keep things
7 less confusing, not more confusing. But injecting these
8 words as substitutes, that is "mimic" and "imitate" as
9 substitutes for "emulate" and "simulate" is just a recipe
10 for confusion.

11 The central argument here -- and I want to talk for a
12 minute on slide 62. The central argument that's being
13 offered up here is that emulate is more precise and simulate
14 is less precise. Your Honor, I'm not sure that's truly the
15 case. There's nothing, I don't think, in the specification
16 or intrinsic record that supports that. We see in the
17 extrinsic evidence there's really nothing to support that
18 either, because of the cross-pollinization of these various
19 words and the definitions for each one.

20 But there is something going on here behind the scenes,
21 and that is Defendants trying to load onto the word emulate
22 some degree of precision that the claims themselves don't
23 require.

24 And in their briefing -- I'll give you one example,
25 Your Honor, from the briefing, and that is on I think page

1 17 of Defendants' brief. I may be wrong but I'll check that
2 citation, Your Honor.

3 But they point to Table 1 of the patent, which is a
4 table of exemplary characteristics of a phone and they
5 contrast that with where simulate is used and the breadth
6 and lack of specificity in the details of the network
7 environment. So saying it's much more specific looking at
8 how it's used in the patent.

9 In the patent, Table 1 is expressly identified as
10 exemplary, those sets of characteristics. So this concept
11 of precision is trying to read in an example in the
12 preferred embodiment.

13 The specific citation, Your Honor, is in the '864
14 patent, column five, starting at line 36. The patent says
15 Table 1, mobile device characteristics shows exemplary
16 characteristics that may be used to specify hardware
17 attributes.

18 If you follow down in the text onto column six, about
19 two paragraphs later, at the end of the paragraph that
20 begins on column six around line six or so, patent expressly
21 says as appreciated, additional or fewer characteristics
22 may be included. And so the patent itself talks about how
23 you can use fewer characteristics. You might only use one
24 or two. Who knows? The claims aren't limited to any
25 particular number.

1 But the patent definitely says you don't have to be as
2 specific as this table, but that's the kind of thing that
3 Defendants are pointing to to say, aha, emulate is more
4 specific than simulate. It's reading an embodiment into the
5 claims without any justification, other than it happens to
6 be there in the spec, and that's what the cases say is a
7 cardinal sin. That whole concept of relative precision, to
8 me, is wrong.

9 If you look at slide 63 and the subsequent slides, Your
10 Honor, and we have some of this in our brief, what you see
11 is that the definitions for these terms, even technical
12 dictionaries, non-technical dictionaries, they don't show
13 this clear delineation of precise or is it imprecise.
14 There's a lot of cross-pollination of these words.

15 We've put examples up on slide 63 and more examples up
16 in slide 64. And I'm sort of breezing through this, Your
17 Honor, and I can focus on this one example in slide 65.

18 And for the record, this is looking at definitions from
19 MacBook Dictionary. Those are collected on slide 64 and
20 slide 65 looks at one of those definitions for emulate.

21 The reason we looked at this one in particular is
22 because this was the resource that Dr. Shoemake, Defendants'
23 expert, admitted that he consulted while he was doing his
24 analysis. But then he said he didn't rely on it so he
25 didn't actually identify it in his declaration. It came up

1 in his deposition. He said, yeah, I looked at that, but I
2 didn't consider it or rely on it. It happened to come up
3 though.

4 And this just gets at how these two terms, if you try
5 to start specifying some sort of specificity with them,
6 you're going to get things wrong. Sixty-five is a good
7 example. That's the definition of emulate. The first word
8 after the exemplary sentence is imitate, and imitate is the
9 proposed construction for the word simulate that Defendants
10 are proffering.

11 So, again, it just gets to -- I think, imagining us at
12 trial talking about emulate and simulate and mimic and
13 imitate, or any other two words, it will be a mess. And it
14 will be a mess because, A, there is overlapping coverage of
15 these words, and B, where the patent in the claims take care
16 to kind of delineate what each one means in the concept of
17 that particular claim, Defendants' constructions don't do
18 that. Instead, they conflate two other terms that also have
19 overlapping meaning and assert that one of those is more
20 akin to emulate and the other is more akin to simulate,
21 without any support because it's really not true. Those
22 words have overlapping meaning also.

23 So in the end, Your Honor, we reach the point where you
24 say to yourself, okay, what's the best thing to do here, and
25 given that the word emulate and the word simulate appear in

1 the patent over and over again and the patents make clear
2 what they mean, given that they're in the claims and the
3 claims, as part of broader phrases, in every instance
4 explain to someone what's going on -- they're not alone,
5 they're part of broader phrases -- we don't think
6 construction is necessary.

7 And we think actually changing these words is just
8 going to either narrow them in a way that's improper or
9 create confusion in a way that's improper.

10 THE COURT: Okay.

11 MR. DEVLIN: And I hope, Your Honor, I also addressed
12 your question. But we're not saying they're interchangeable.
13 I'm just suggesting they're overlapping and that the patent
14 delineates already what they mean in the context of each claim,
15 and that trying to replace them with other words does not serve
16 any of the purposes of claim construction, and in fact,
17 undermines many of them.

18 THE COURT: So would you take issue if the Court
19 construed simulate as emulate?

20 (Pause in proceedings.)

21 UNIDENTIFIED SPEAKER: Are people still there?
22 Hello?

23 MR. DEVLIN: I'm sorry, Your Honor. You know what,
24 that was the classic example of talking while I'm muted.

25 THE COURT: Okay. I asked a question and I thought

1 you were contemplating my question.

2 MR. DEVLIN: Yeah. No, I was -- I was talking. And
3 you know what? I'll say, Your Honor, it really sounded good.
4 I wish you guys had heard it.

5 THE COURT: Well, go ahead and do it again and we'll
6 hear it this time.

7 MR. DEVLIN: Thank you, Your Honor.

8 So that's a question of first impression obviously, and
9 as I'm understanding it, what Your Honor is saying is, well,
10 if these terms are so -- if they're not quite overlapping,
11 maybe it's just easier and cleaner for everyone if we just
12 replace one with the other so we have one term that's used
13 throughout.

14 At first blush, Your Honor -- I really would love to
15 consult with the team on this, and if this is a possibility,
16 perhaps we can give you a position on it in like a one page
17 letter or something, you know, in a couple of days.

18 My first impression is that it wouldn't be a huge
19 problem, but I do think the way the claims do operate now,
20 when some claims, dependent or otherwise, have the concept
21 of emulating and simulating in them, emulating one thing and
22 simulating another, I think that might lead to confusion as
23 opposed to resolve any confusion.

24 That's just my first reaction, but with Your Honor's
25 permission, I would like to think about that for say 24

1 hours and get back to the Court.

2 THE COURT: Sure, that's fine. And the reason I ask
3 that, and it's a question I really have for defense, but it
4 evolved into asking you as well, because claims 9 through 11 of
5 the '864 patent seem to me to use simulate and emulate
6 interchangeably, because if you look in the series of the
7 dependent claim, claim 9 uses simulate but then claims 10 and
8 11, which depend on claim 9, use emulate when describing the
9 same type of action. So that's what led me to believe that
10 they were being used interchangeably.

11 But I'll give you that opportunity, if you want, since
12 -- I didn't mean to stump you.

13 MR. DEVLIN: No, Your Honor, and I'm looking at those
14 claims right now. And we always appreciate these questions
15 because obviously we love an opportunity to address whatever
16 the Court is thinking about.

17 But if you look at these, it looks like there may be
18 just a subtle difference in usage in the sense that you
19 simulate a user but you emulate action. So simulate a
20 thing, emulate an activity.

21 Again, this might be a subtle phrasing that helps
22 clarify for people, just to keep things straight with
23 respect to what's happening with the elements in the claims.
24 But, again, that's something it sounds like, if we could
25 have an opportunity to confer on and get back to the Court

1 on, we very much would appreciate it.

2 THE COURT: That's fine. Thank you.

3 MR. DEVLIN: Thank you, Your Honor.

4 THE COURT: We'll have a response and then --

5 MR. REITER: Your Honor --

6 THE COURT: Oh, I was just asking my court reporter
7 to see when we need to take a break. When we finish this term
8 we'll take a break, but I was asking her. That's what we'll
9 do. We'll finish with simulate and emulate and then she needs
10 a short break and then we can come back and do the rest of the
11 terms. But go ahead.

12 MR. REITER: Okay. Thank you, Your Honor. Mark
13 Reiter again for Defendants. And I'm sorry for interrupting.
14 Whenever there's silence on something like this, I get nervous
15 that I might have lost the connection. So I apologize.

16 THE COURT: No, y'all have done a great job doing
17 this by telephone. Go ahead.

18 MR. REITER: Okay. Thank you, Your Honor.

19 So with respect to your last question for Mr. Devlin,
20 with respect to claims 9 and 10, I think those terms are
21 used very differently and consistently with what we have
22 explained in our paper and presentation is that there is a
23 level of precision associated with emulate and a level of
24 approximation associated with simulate.

25 With respect to claim 9, they simulate real users, so

1 those users are approximate. It may be some virtual set of
2 users. They don't have to have specific characteristics.
3 But when we talk about the actual actions, what that user
4 might do -- and, remember, we're talking about acting on a
5 network, so that user might actually send a text. That user
6 might actually receive a phone call. That is precisely
7 mimicked in the emulating claim 10, whereas in claim 9 it's
8 more of an approximate representation of those users. They
9 don't need to have any specific characteristics. So I think
10 claims 9 and 10 are using the terms very consistent with
11 what we have tried to define them as.

12 And let me go through some things that Mr. Devlin said
13 and then I'll jump into my presentation. One thing that
14 really struck me as he was speaking is he said if we try to
15 put some specificity on these terms, then we're going to get
16 it wrong. If we try to put some specificity on these terms,
17 then we're going to get it wrong.

18 If we don't put specificity on these terms, then we're
19 going to get it wrong. Then the jury is going to get it
20 wrong. That is the whole purpose of what this process is,
21 is to understand what the scope of these claims are
22 precisely and exactly.

23 To say, well, it's really hard, I don't know, they're
24 close, so we'll just leave it alone, that's not what this
25 process is about.

1 And to say that the terms are overlapping but not
2 interchangeable, again, I don't understand what that means.
3 I mean, I'm imagining in my head a Venn diagram, that
4 they're overlapping so a part of them are overlapping, so in
5 some context it's okay to say simulate when you mean emulate
6 and in some context it's okay to say emulate when you mean
7 simulate but in other contexts it's not? That's exactly why
8 these terms need to be construed.

9 And what the Plaintiff is doing here is looking at
10 these terms in isolation, and we made this point in our
11 brief, and they didn't correct it in their reply brief, that
12 Wapp not once, not once in their arguments do they cite to
13 the intrinsic record, do they cite to the specification in
14 trying to ascertain what these terms mean.

15 We hear Mr. Devlin talking about not putting
16 specificity on, but we don't hear him explaining when
17 they're interchangeable or when they're overlapping or what
18 exactly they mean.

19 And we heard him say that maybe there's really not a
20 difference in precision. If there's not a difference in
21 precision, then what is the difference? Because these terms
22 very clearly are used differently in the patents, in the
23 claims.

24 I heard Mr. Devlin talk about that there's no
25 lexicography here, and I keep hearing this throughout his

1 presentation, that we haven't seen the inventor act as his
2 own lexicographer and so, therefore, we don't need to do
3 construction.

4 Well, it's very rare, as the Court understands, that
5 the inventor actually acts as his or her own lexicographer,
6 but yet, nonetheless, it's very common for Courts, in
7 situations where there is no specific lexicography, for the
8 Court to construe the claims.

9 Finally, I hear over and over again in the presentation
10 that it sounds like if I'm using a word from the
11 specification like emulate in the preamble, then I'm reading
12 something into the claim. But in this case where I'm using
13 a word that's not in the specification, then it's wrong as
14 well. So I can't win for losing. If I read something from
15 or used in the specification, I'm reading it in. If I'm not
16 using a word from the specification, then that's wrong.

17 So I think very clearly what we have to do is we have
18 to step back and understand what exactly one of skill in the
19 art would have understood these terms to mean in the context
20 of this patent at the time of the alleged invention.

21 And we cited at page 23 of our brief, Your Honor, the
22 Power Integrations case, 711 F.3d 1348, where the Federal
23 Circuit said that in that case the party argued that just
24 the plain and ordinary meaning should apply, but the
25 opposing party provided expert testimony that testified what

1 the term meant based on the specification, what the term
2 would have meant to one of skill in the art at the time of
3 the invention. All that the opposing party did in response
4 to that expert declaration is say, no, it's just plain and
5 ordinary meaning. And the Federal Circuit in that case
6 rejected that, saying there was no evidence to contradict
7 the expert's testimony other than the assertion that it's
8 the plain and ordinary meaning.

9 Then the Federal Circuit in Power Integrations went
10 through the specification and explained what it meant, and
11 that's exactly what we have -- that's exactly what we have
12 done here.

13 So going back to our slides and starting at slide 47,
14 yes, we use mimic, and what that's intended to represent or
15 to capture is precisely represent. Emulation is a precise
16 representation, and simulate through the word imitate is an
17 approximate representation. That's very consistent with
18 what the specifications disclose and what the extrinsic
19 evidence shows.

20 We see on slide 48 that Wapp, the Plaintiff, agrees
21 these are nuanced terms. We see that at their reply brief.
22 And there are differences between these limitations, but
23 they've offered no explanation as to what those differences
24 are. And those differences, again, are levels of precision.

25 Going to slide 49, Dr. Shoemaker is the only evidence,

1 along with some dictionaries -- and I'm going to address
2 what Mr. Devlin said about those dictionaries, because I
3 don't think it's complete. But the only evidence that is
4 presented about the difference in these terms is what Dr.
5 Shoemake explained.

6 And Dr. Shoemake's declaration is not conclusory at
7 all, as Wapp alleges in its brief. It's very, very
8 detailed. He has 33 pages of detail going through page
9 after page after page and column after column after column
10 of the specification explaining why one of skill in the art
11 at the time of the invention would have construed these
12 terms as mimic and imitate and understood that that's a
13 difference in precision.

14 So we see that on slide 49, slide 50, just going very
15 quickly, slide 51. He explains with respect to simulate
16 that it is relatively imprecise as compared to emulation.

17 Slide 52, it is -- the representation of that imprecise
18 modeling is an imitation, not a mimic.

19 THE COURT: And let me ask -- let me ask you about
20 that, about his opinion. Can you point to anything in the
21 intrinsic record that expresses or implies this distinction
22 between simulate -- or emulate being a relatively precise
23 representation and simulate referring to a relatively imprecise
24 representation?

25 MR. REITER: Yes, Your Honor. Let's move on. First,

1 slide 54 shows a difference between these terms, emulate with
2 respect to the mobile device and simulate for the wireless
3 network.

4 And now skipping ahead -- and we see that consistently,
5 slide 55, 56, how the patent, in the same sentence when
6 using emulate and simulate, they have to mean different
7 things.

8 Now skipping forward to Your Honor's question, where
9 does Dr. Shoemake get that support in the specification for
10 this level of precision and this level of approximation with
11 respect to emulate and simulate respectively. So first at
12 slide 57 we see --

13 And I apologize. It looks like, similar to the
14 citations, that got erased.

15 But from again the '864 patent it says as if the
16 application were running on a mobile device. As if it were
17 actually running on a mobile device. On the right side of
18 slide 57, i.e., as if application 15 or 16 is actually
19 running on a mobile device being emulated. The emulator, at
20 the beginning of the paragraph, it's actually running, as if
21 running.

22 Mr. Devlin pointed to Table 1, and we think Table 1 is
23 very, very important, and Dr. Shoemake relies on that. We
24 see that in slide 58. There's no approximation with respect
25 to Table 1.

1 When they talk about the processor speed, it's not
2 talked about approximately 100 megahertz. It says 104
3 megahertz. When it talks about storage access speed, he
4 doesn't round up or the patentee doesn't round up to six
5 files per second. It's very precise, to the hundredth
6 decimal point, 5.88 files per second.

7 And Mr. or Dr. Shoemake is clear that this tells one of
8 skill in the art that the emulator has to be precise. It
9 has to be precise.

10 We don't see this level of precision with respect to
11 simulate. Looking at the claim, slide 59, we see when it's
12 talking about simulate -- and this is claim 1 of the '864 --
13 and it talks about simulating the network characteristics
14 and the wherein clause, wherein the network characteristics
15 are based on. It's not replicate, not like what we saw with
16 respect to the emulator, but are based on. Dr. Shoemake
17 relies on this.

18 Then going on to slide 60 we see when the patent is
19 describing things that are simulated, it does it at a very
20 high level description. It doesn't get into the detail of
21 104 megahertz or 5.88 files per second. It just talks about
22 a very high level of descriptive events, consumer events,
23 incoming events, no level of precision.

24 We contrast these two disclosures on slide 61 where we
25 have the approximate representation for the simulate versus

1 the precise representation for emulate, and these are all
2 the things that Dr. Shoemake relies on in paragraph after
3 paragraph of his report to explain how one of skill in the
4 art would have understood these terms and why they should be
5 construed in this way.

6 Let me pause there and see if I've answered Your
7 Honor's question with respect to the intrinsic evidence.

8 THE COURT: Thank you, yes.

9 MR. REITER: So now moving on to the extrinsic
10 evidence -- and that level of precision is very, very
11 consistent with the extrinsic evidence, and I'm not talking
12 about Dr. Shoemake's extrinsic evidence. I'm talking about
13 dictionaries that explain this.

14 We see on slide 62 the Wiley Dictionary and the
15 Microsoft Dictionary where simulate, describing an
16 approximate imitation or -- imitation is actually used.

17 I'll agree, and there's no argument here, that mimic
18 does not appear in the definitions that we see of emulator,
19 but it talks about exactly like another, in the same manner.
20 We don't see that explanation, that level of precision
21 carried into the simulate definitions.

22 THE COURT: Well, then let me --

23 MR. REITER: If you --

24 THE COURT: Let me ask then, how is your proposal of
25 mimic any clearer than the term emulate?

1 MR. REITER: I thought I did that question. And we
2 think it is. We think that Dr. Shoemake explains it, but
3 perhaps a better construction for emulate is "precisely
4 represent", as we see on slide 62, and for simulate
5 "approximately represent". Maybe that is a better
6 construction. After having gone through all the briefing and
7 heard the complaints about the use of the word mimic and
8 imitate, maybe those two words "precisely represent" and
9 "approximately represent" capture better what we were trying to
10 do.

11 I think the words mimic and imitate do capture that,
12 and Plaintiff's use of ordinary, non-technical dictionaries
13 I don't think really does anything here. I think what we
14 have to look at are the technical dictionaries.

15 So, as I said, Your Honor, I think as Dr. Shoemake
16 explains in paragraph after paragraph that one of skill in
17 the art would recognize "mimic" to capture that precise
18 representation and "imitate" the approximate representation,
19 but maybe, given the unintended confusion that it sounds
20 like we may have caused, "precisely represent" and
21 "approximately represent" would be better.

22 And carrying that forward and just talking about the
23 dictionaries as extrinsic evidence, if we turn next to slide
24 64, what we saw in Exhibit 7 of Wapp's brief, opening brief,
25 there was a chart and they pick and choose or chose

1 definitions from the different dictionaries that Dr.
2 Shoemake thought were most pertinent, but they didn't
3 provide the Court with everything.

4 So with respect to the Microsoft Dictionary, they cited
5 to emulation, the process of computer device or program
6 imitating the function. But what they left out,
7 interestingly, are the other definitions of emulate or
8 emulator that were contained in the Microsoft Dictionary:
9 Behave in the same manner, type of computer component to act
10 as if it were another. They left those out. Those were
11 excluded from their chart. I think they were also excluded
12 from Mr. Devlin's presentation.

13 Same thing on slide 65, the Chambers Dictionary. What
14 Plaintiff identified in their Exhibit 7 in their chart was a
15 zoological definition for simulation, a zoological
16 definition. But, as we see on slide 65, a zoological
17 definition is between the computer definition and the
18 behavioral definition, both of which talk about a
19 representation of systems, investigation of thought
20 processes, program to imitate them.

21 So what -- what Wapp has done here is very carefully
22 pick and choose, chose, among the technical definitions,
23 picking ones that really aren't even applicable here, a
24 zoological definition, when right in the dictionary three
25 lines above is the computer dictionary.

1 And when we look at the emulator, it says exactly as
2 we've been talking about all along: To behave as if it were
3 another type of computer.

4 And the rest of the dictionaries are exactly the same.
5 We see on slide 66, exactly like another, whereas simulation
6 is an imitation.

7 With respect to slide 67, duplication of the functional
8 capability.

9 And slide 68, yes, simulate and emulate may be related,
10 but they are related in the sense of different levels of
11 precision. Yes, one might, as we see in the IEEE
12 Dictionary, might also look to see simulate, and in the
13 definition of simulate, you might look to emulate. But that
14 doesn't mean they have the same definition. In fact, they
15 are different definitions.

16 So, Your Honor, to wrap this up, it is extremely
17 important to understand that these are two different terms.
18 It's extremely important for the jury to recognize that
19 these are two different terms, consistently used differently
20 in the patents. Emulate with respect to the mobile device
21 and simulate with respect to the network. Emulate
22 explaining very precisely how that's supposed to be, because
23 if that mobile device -- remember, the whole idea here is to
24 see whether or not that application that's being developed,
25 whether or not it is going to exceed the resources available

1 of the mobile device. And if that mobile device is not
2 precisely -- is not precisely mimicked, is not precisely
3 reproduced, then that testing is not going to matter. It's
4 not going to help the developer know whether or not his or
5 her application is going to work on that mobile device,
6 whereas whether or not the network approximates an incoming
7 call or an outgoing text or an incoming text, that's not as
8 important because all that needs to be done there is to see
9 that some of the resources of the mobile device are used
10 when that activity, when that incoming text or call happens,
11 and that affects how -- what the total resources are for the
12 application being developed.

13 So it's very clear in the patent that they are two
14 different words, having two different meanings, having two
15 different contexts, one the device, one the network, and
16 it's very important that they be construed differently.

17 And I'll stop there and see if the Court has any
18 questions.

19 THE COURT: No. Thank you. Any short response to
20 that before we take a break, Mr. Devlin?

21 MR. DEVLIN: Yes, Your Honor. I'll be quick.

22 I won't go into the details about the characterization
23 of our arguments. I think we're not saying that nothing can
24 be construed if it's not actually defined in the spec. The
25 point is those are the very easy ones.

1 The Defendants have a much tougher burden to show we
2 should be reading things in from the spec when they're not
3 express definitions or there's not some clear disclaimer in
4 the prosecution history, and they're not meeting that
5 burden. That's the point of that recitation.

6 They mention the -- this confusion about overlapping
7 meanings, and this happens all the time in our language and
8 we deal with it. Theft and burglary have overlapping
9 meanings but they're slightly different. That's just one
10 example of many.

11 These words have overlapping meanings. It's not
12 confusing when that happens.

13 Let me talk about the specifics very briefly, Your
14 Honor, and I just want to focus on the Defendants' slides
15 and the evidence that they're -- that they're pointing the
16 Court to here about trying to show some specificity or
17 precision with emulate that doesn't happen in simulate, and
18 it's not there in the intrinsic evidence, looking at this
19 evidence through very specific lenses, and I'll explain
20 exactly what I mean, Your Honor.

21 For example, on slide 56 there's really nothing there
22 that indicates more specificity for emulate or more
23 precision than simulate. It's just not there.

24 Same with 57, it's just not there. There's nothing
25 here that suggests saying as if something is running on a

1 mobile device. Clearly the specification doesn't mean
2 exactly every detail. We know that because Table 1 is just
3 exemplary characteristics of a mobile device. You don't
4 have to have every detail. You can pick a handful of those
5 if you wanted to. So you're approximating something.

6 And that gets to -- I won't bother with slide 58, but
7 that's the very point. The things they're pointing to being
8 so specific is described as exemplary and says -- again, we
9 said this earlier, you can use less of this. You can be
10 less precise when you're emulating the phone.

11 Then I want to talk about 59. This is an important
12 one. And these are all Defendants' slide numbers, Your
13 Honor, so Defendants' slide 59. They're looking at claim 1
14 as an example of why simulate isn't that precise. That's
15 because it's just based on characteristics.

16 Well, if we look at -- and I don't have it here on a
17 slide here, Your Honor, but claim 20 of the same patent
18 talks about emulating, and the phrase which I didn't read
19 fully before, starting at line 29 -- sorry, 21, line 21 of
20 column 24, reading from claim 20: Emulating each of the
21 mobile devices in real time using respective models. That's
22 the first thing, using a model. Nothing exactly like the
23 phone. Models running on a processor extrinsic to the
24 mobile device. Then it has a wherein clause, just like
25 claim 1 on slide 59. Wherein each of the models is based on

1 retrieved characteristics.

2 So this distinction that supposedly is in the claim
3 that makes emulate so precise doesn't exist, Your Honor. It
4 simply doesn't exist.

5 Then the subsequent slides don't do any better in
6 showing the precision on one to the other.

7 And, Your Honor, I have a note here that I think there
8 is some precision about some of the network characteristics.
9 Maybe I'll try to grab that on a break and just give a quick
10 cite on the record when we come back.

11 But even in their own evidence, again -- let me talk
12 about the extrinsic evidence, Your Honor. And we got
13 critiqued for being selective in our definitions. Your
14 Honor, we're showing the problem with Defendants' proposed
15 construction, which is ignoring things. And they're saying,
16 well, you guys were selective and they pulled a few things
17 out.

18 Your Honor, they were selective as well. If you look
19 at slide 65 and look at the simulation, they -- they
20 criticize Wapp because we use something that was somehow,
21 you know, zoological, whereas they highlighted the computer
22 electronics and the behavioral. Well, the word imitate only
23 shows up in the behavioral definition. Psychology I guess
24 is what that is. When we look at the computer definition of
25 simulation, just above it, there is no word imitate.

1 They're selective.

2 And the same is true just to the left of that on
3 emulate. There's two definitions of emulate, both for
4 computers. They have selected the bottom one to highlight,
5 but the top one says something kind of broad: A mode in
6 which a device may emulate operational characteristics of a
7 device, e.g., a printer may behave like another type of
8 printer. Not a specific printer, just a type of printer.

9 So that's the issue here. Again, the Defendants have
10 the burden of showing why their words, their proposed
11 terms -- excuse me -- their proposed constructions should be
12 adopted. They selectively use the evidence to reach a
13 conclusion, and that's wrong.

14 THE COURT: Okay. Thank you. I think at this
15 time -- I was talking to my court reporter and she said if we
16 could just take a five minute break. So what I would ask you
17 to do is just put your phones on mute and then we'll come back
18 in five minutes and continue.

19 Now, I'll just remind you of what the time is. We're
20 only going to take a five minute break here but we need to
21 be done by 5:00 o'clock, so in terms of evaluating how you
22 utilize the rest of the remaining time.

23 See you back in five minutes.

24 (Recess.

25 THE COURT: Okay. We're back in the courtroom. I

1 assume everyone is still here.

2 MR. REITER: Yes, Your Honor. Defendants are still
3 on the line.

4 THE COURT: I'm sorry. We're making sure our
5 lines -- we muted our lines on this end, so we're making sure I
6 can hear everybody. I think I can now. Can y'all hear me?

7 MR. REITER: Yes, Your Honor.

8 THE COURT: So we'll go on to the next set of terms.

9 MR. DEVLIN: Thank you, Your Honor. So I think we're
10 at slide 67, the claims of simulating or emulating via one or
11 more profile display windows. We see these claims on slide 68
12 and the claim language in the context of the claim, just for
13 reference, if the Court needs it, and the proposed construction
14 on slide 69.

15 Again, not to say you could never construe a claim
16 otherwise, but some of the real driving reasons for claim
17 construction simply are not present here, looking at slide
18 70, Your Honor.

19 In fact, moving on to slide 71, I think when we try to
20 parse through Defendants' constructions, you end up with a
21 lot more confusion, unnecessary confusion, than if you just
22 use the claim language on its face. We think the claim
23 language is clear.

24 Looking at slide 72, the first issue, we see the
25 reading in of the proposed constructions for emulate and

1 simulate. And I think Mr. Reiter is going to address this,
2 Your Honor, but -- and I don't think there's a dispute that
3 in this case, regardless of whether the claim uses the word
4 simulate or uses the word emulate, the Defendants are not
5 proposing to dispute between those, but instead, to use the
6 word imitate, which is the proposed simulate definition, not
7 the proposed emulate definition, mimic, even though the word
8 emulate is in the claims.

9 That's obviously an issue and I think Mr. Reiter will
10 address that. We see it as a problem.

11 So moving on to slide 74, there's this inclusion of the
12 phrase "in real time" and slide 75 the first issue is that
13 there are embodiments that definitely do not have to
14 visualize something in real time. The profile data may be
15 output as a report, which obviously generally happens later
16 than what's going on as the testing is taking place. And
17 for the record, you see that in the second highlight in the
18 excerpted block on slide 75.

19 Also, Defendants say that they're not excluding this
20 embodiment because they only use "in real time" when the
21 word "simultaneously" is used, and that helps. But there's
22 still an issue there, moving on to slide 76, that when the
23 word "simultaneously" is there, we see some redundancy
24 inside the construction, while at the same time, in real
25 time, again, phrasing that simply generates confusion.

1 Lastly, it may be useful to go -- stay on slide 76 for
2 a second just to look at Defendants' proposed construction
3 for this one. The back end of it, after the word real time:
4 Resources of the mobile device that are available to the
5 application. That we think is over limiting, Your Honor.
6 The emulation or simulation and the demonstration of that is
7 not necessarily limited to resources that are available to
8 the application.

9 And so the bullet point there is on slide 76, and at
10 slide 78 -- actually, I'll skip slide 78, Your Honor. I
11 think it's probably easiest to look at slide 80 first. So
12 slide 80 is showing what this visualization might look like.
13 That's Fig. 3 from the patent. And there's this horizontal
14 line, which we emphasized in red, and that's the resources
15 that are available to an application, that's the sum of the
16 power of the device, so to speak, the device being modeled.

17 Also shown here on this visualization is the resource
18 utilization not just available to but used by the
19 application. Those are the vertical bars, and we see that
20 text from the specification in the lower block on the
21 right-hand side. Again, at slide 80.

22 So to limit it to resources available to an application
23 only is improper, and there's other support for this. I'll
24 note first, Your Honor, that the Defendants -- I'm looking
25 at slide 81 now -- try to look at the claim language, and in

1 particular, the dependent claims 13 and 20, to support the
2 argument that somehow this limitation of resources available
3 to an application is appropriate as opposed to used by the
4 application.

5 But if you look closely, the language really
6 contradicts their construction. This is on slide 82. If
7 you look at claim 13 on slide 82, it talks about displaying
8 data graphically to identify either application performance
9 or network performance or both.

10 And so any of these -- what's not true for any of this
11 information here from the specification or from the claims
12 is that certainly nothing precludes -- nothing precludes
13 showing resource used by an application, as opposed to
14 available to an application. In fact, the specification
15 demonstrates the opposite, that you can show resource
16 available by -- sorry -- resource used by an application.
17 That's one of the things that you can see visually. So to
18 limit the claims to another option, one other example of the
19 thing that you can show, would be improper.

20 That's all I have for that, Your Honor, unless the
21 Court has questions.

22 THE COURT: So let me make sure I understand. So do
23 you feel these limitations could refer to the resources
24 utilized by the application rather than the available
25 resources?

1 MR. DEVLIN: Your Honor, the specification identifies
2 both things as being shown, and slide 80 probably conveys it
3 best, that there's something on the screen here that shows
4 resource available to, and that's generally represented by that
5 horizontal line.

6 And the Defendants are suggesting that the claim
7 requires that, it has to be there, just by virtue of the
8 words that we're construing here. Now some claims may
9 require this specifically, resource utilization available
10 to, that horizontal line, but that's not what this element
11 is saying. You just have to show visually what's going on.

12 And another option to show that from the specs, still
13 looking at slide 80, are the vertical bars. The vertical
14 bars aren't showing resource available. They're showing
15 resource used by.

16 So if the claim were construed in the way that
17 Defendants are suggesting here and if -- if a system only
18 showed vertical bars, as an example, but did not happen to
19 have this kind of horizontal information available or
20 another way to represent what was available to the
21 application, then according to Defendants, that would be
22 outside the scope of these claims.

23 But the claims don't say that. The claims don't
24 specify that a thing that has to be shown is what's
25 available to an application, the horizontal line. Certainly

1 this claim element doesn't say that. Some other claims may
2 recite that expressly, in which case it would be required,
3 but not by virtue of these words.

4 That's the problem is the Defendants are trying to read
5 in that particular visualization as opposed to another kind
6 of visualization into these words, and that makes it
7 narrower.

8 THE COURT: Okay. Thank you.

9 MR. DEVLIN: Thank you, Your Honor.

10 MR. REITER: Thank you, Your Honor. Mark Reiter
11 again for the Defendants.

12 Responding to that last point and then I'll cover it in
13 a little more detail as I go through the presentation, but,
14 again, we need to take a step back and recognize what Mr.
15 Poulin purportedly invented. He purportedly invented a
16 system that allows an application developer, developing
17 applications for mobile devices, to use an emulated device
18 and to determine whether or not the device that's being
19 emulated, the device for which the application is being
20 written is going to work on that mobile device.

21 And if you don't know what the resources of that mobile
22 device are, if you don't know how fast that processor is
23 going to go, you don't know how much memory that device has,
24 you have no idea whether or not that application is going to
25 exceed those resources and crash the device.

1 If I tell you I have two gallons of water that I want
2 to put in your bucket, will it fit, I need to know how big
3 your bucket is. If your bucket is only a gallon, it will
4 overflow and it's not going to fit. If your bucket is
5 five gallons, I'm going to be fine.

6 So I have to know how much space is available in your
7 bucket, just like what Mr. Poulin described is you have to
8 know the resources available on the mobile device for which
9 the application is being designed, and that's what that red
10 line, that cap out line is. If you go over it, you have
11 problems.

12 And if you just know how much you're using or if I just
13 know I have two gallons but I don't know what my capacity
14 is, that doesn't tell me anything. I have to know how much
15 capacity I have.

16 So I'll go through that in a little more detail, but
17 the other thing I wanted to circle back on, because as Mr.
18 Devlin correctly pointed out, we do use the word "imitate"
19 in this construction to capture the simulate concept. What
20 I think is very, very clear here, and going back to the very
21 first minutes of this telephone conference hearing, is that
22 there is a fundamental dispute between the parties as to
23 what this invention is and what the scope of these claims
24 are. Do they require an emulated device? I think very
25 clearly, as I've explained, they do through the preamble or

1 at least through the claim language indicative of
2 performance, as I explained before.

3 And do the claims require a display showing what is
4 available? There is an absolute dispute between the parties
5 that requires resolution.

6 So now turning to the deck and slide 71, I've presented
7 here the claim language that's being construed. Mr. Devlin
8 did the same thing.

9 We see in the upper left-hand corner, terms are not
10 sufficiently clear. That was Wapp's argument is that the
11 terms here, displayed simultaneously, visually simulate via
12 one or more profile display windows, was sufficiently clear.

13 That's not true at all. These terms are unique to the
14 patents and these terms are technical and they are
15 grammatically confusing. Simultaneously visually simulate,
16 via one or more profile display windows, that is very
17 confusing language that has to be construed.

18 I have spent two years almost working on this case and
19 I still am not sure that I understand it, although I think
20 that our definition captures that.

21 And to go on to slide 72 where we repeat what Wapp
22 argued in its opening brief, that simultaneously and
23 visually and then emulate and simulate, we've talked about
24 those a lot. Those are not clear.

25 Profile and display window, these -- that's not the way

1 we do this. We don't take and parse each individual word
2 and say, oh, well, somebody knows what visually means, and
3 therefore, we don't need to construe the term. We need to
4 construe the term in context as it exists in the phrase, in
5 the claim, in the specification.

6 Dr. Shoemake explains that there is no understood
7 meaning apart from the patents. We see that on slide 73.
8 So we need to do a construction.

9 Going back to the Power Integrations case that I talked
10 about before the break, that is the only evidence. Wapp's
11 blanket statement that no construction is necessary, when we
12 have these technical terms and this grammatically confusing
13 phraseology, just falls flat.

14 Again, on slide 74, Dr. Shoemake again, paragraph 52,
15 he explains that a person of ordinary skill would not
16 understand what it means to simulate via a profile display
17 window. That is the language of the claim, simulate via a
18 profile display window.

19 And what we saw in Wapp's reply brief, and this is
20 depicted on slides 75 and 76 of my presentation, is they
21 said the word "via" clarifies everything. This is a new
22 argument that they raised. We didn't see this in their
23 opening brief. We didn't see this during the negotiations
24 for the 4-1, the 4-2, the 4-3 discussions.

25 But "via" -- I looked it up -- means by way of. That's

1 what it means, quote/unquote, by way of. So looking at this
2 language, looking at the claim that I have here, software
3 configured to simulate via one or more profile display
4 windows, is software configured to simulate by way of a
5 display window. That doesn't make any sense and that's why
6 construction is required here.

7 And what Wapp seems to be saying is let's ignore what
8 the words in the claim actually are and we'll just
9 substitute "simulate" for "present". Software configured to
10 present via one or more profile display windows a plurality
11 of network characteristics. Okay. That makes sense, but
12 that's not what's written.

13 Then slide 76 says get rid of the word "via". Software
14 configured to simulate and present in one or more profile
15 display windows a plurality. But that's not what's written,
16 and that's why it's so important here to construe this
17 phrase because it is so confusing. It just doesn't make any
18 sense with the words that are written.

19 So I think what we need to do is, again, is take a
20 little bit of a step back. Mr. Devlin did this. I'm on
21 slide 77 and we need to think again about what the purported
22 invention is. And so we explain this in our brief and I
23 explained this a little bit at the beginning of my
24 presentation.

25 The asserted patents explain essentially four concepts.

1 You play or develop an application that's designed for a
2 mobile device on an emulated device, and you simulate the
3 mobile device working in the network. You simulate network
4 events, such as an incoming call and a text, and then you
5 display in a window -- and we saw all of this in Fig. 12.
6 You display in a window the overall resource availability of
7 the mobile device as affected by the network events.

8 And that's the line at the top, the cap out line that
9 we've talked about. So if those horizontal -- if those
10 vertical bars exceed that horizontal bar, you have a
11 problem.

12 So you have an application that's being developed. You
13 play it on an emulated device. And, again, we think that's
14 captured in the preamble but at least it's captured in
15 "indicative of" in the body of the claim. And we take into
16 account in the claim language the network characteristics.
17 That's clearly in the claim, the simulation of the network
18 characteristics, and I explained how the patent
19 specifications describe simulation in the context of a
20 network and emulation in the context of the device, and then
21 that's displayed so the app developer can see if his or her
22 program is going to work. And that's what our construction
23 captures. That's exactly what it captures.

24 And if we go on to slide 78, and this is from Wapp's
25 tutorial, the profiler -- it's talking about a profiler --

1 monitors simulation on a monitor how the app is utilizing
2 the resources of the modeled mobile phone. How is it
3 utilizing those resources? And that's what our construction
4 captures. Resources of the mobile device that are available
5 as a result of the imitated activity.

6 And then Wapp's tutorial goes on, as we show in slide
7 79, that the modeled phone's resources and then it sends
8 that resource utilization to a data display. That's exactly
9 what we have, displaying one or more windows that show the
10 resources of the mobile device that are available for the
11 application, so the app developer can see if the app is
12 going to crash.

13 Quickly going again through Fig. 3, we see the red line
14 on the top. That's the cap out line. This is the profile
15 data display window or the profile display window as the
16 claim language uses. If you go over that line, you crash,
17 and that's what we see on slide 81. It shows the cap out
18 line that has the device resources available to the
19 application.

20 Again, from Wapp's tutorial, the second bullet on slide
21 81, the profile display window allows a user to identify
22 areas within the application that would exceed the resources
23 of the mobile device. Exceed resources, that's the
24 resources available. They agree. They just don't want to
25 agree now.

1 And we continue on, keeping in mind what the alleged
2 invention is, and taking into account how the network
3 resources consume -- or the network characteristics or the
4 network activities consume resources of the mobile device,
5 and you see that in slide 82. As the application plays
6 within model the effects of the mobile device 114
7 interacting with the network are simulated such that the
8 data display window shows resource utilization.

9 Then we go to the next slide and the highlighting at
10 the bottom says: So, for example, if the message is
11 received or retrieved while playing the application, certain
12 resources are required to handle that, and therefore, the
13 available resources are reduced.

14 If you look between 82 and 83, Your Honor, you see that
15 line, that red line goes down between 82 and 83 because the
16 network consumes those resources. There are fewer resources
17 available and it is more likely that the application under
18 development will crash the phone.

19 This is also captured on slide 84 where we show
20 resource utilization and then the dynamic modification to
21 show actual resource availability. And you see on the far
22 side of the figure on the right side, we have the green bar
23 and the blue bar. The blue bar shows what additional
24 resources are available. We see what's used, but how much
25 more do we have? How much more do we have as a result of

1 what the network is doing, what the network has consumed of
2 the mobile device? And that's what the purported invention
3 is about.

4 The same thing on slide 85 with respect to a different
5 embodiment to talk about exceeding the available resources
6 of the mobile device.

7 So that's where we get the available resources. That's
8 why we think it's very important to capture that in the
9 construction.

10 Displays is extremely confusing. It doesn't make sense
11 as written, and the construction that we provided is
12 absolutely true to what's disclosed in the patent and what
13 the inventor purportedly invented.

14 Now, with respect to real-time versus non-real-time,
15 going to slide 86, so there are two embodiments that are
16 disclosed, column seven, line 56, to column eight, line
17 three of the '864 patent. In there we see one embodiment.
18 We highlighted the first one: Profiled data 152 may be
19 displayed in real time as the application is played.
20 Alternatively, the profiled data may be output as a report.
21 That's not shown. Our constructions capture that and the
22 claim language captures that.

23 So we see on the next slide 87 that the '192 and the
24 '678 claims talk about simultaneously visually simulating,
25 whereas the '864 patent doesn't use the word "simultaneous".

1 It leaves that out.

2 And we recognize that there are two different
3 embodiments and these claims are directed to the two
4 different embodiments. We're not trying to read in
5 real-time. We're not trying to read it in at all. We're
6 trying to capture that through the word "simultaneous". And
7 we're not applying it to every claim where simultaneous
8 doesn't appear.

9 So we believe that for the '678 and the '192 claims,
10 the construction is absolutely appropriate where it's
11 talking about doing it in real-time, is while at the same
12 time displaying in one or more windows, showing in real-time
13 the resources.

14 And I'm going to get to Wapp's argument, Mr. Devlin's
15 argument that at the same time and in real-time are
16 redundant. They're not, and I'll explain why.

17 But we believe that "simultaneous" is that hook that
18 the Federal Circuit says requires us to go back to the
19 specification and understand what is meant there.

20 So Wapp's arguments really kind of boil down to three
21 things. We think they tell half the story by focusing on
22 just resource utilization rather than resource availability.

23 We think that they're wrong when they talk about the
24 display -- the profile display window displays resources of
25 the network. That is not at all true. It's only displaying

1 resources available of the mobile device.

2 And then Wapp is wrongly conflating "at the same time"
3 with "real-time".

4 So very quickly, and I know I've belabored this point,
5 on slide 89, there is a difference between just showing
6 resource utilization. Resource utilization is not going to
7 show whether or not the application is going to crash the
8 product. You have to know whether or not that resource
9 utilization exceeds the available resources, and that's why
10 available resources is critical and why Wapp is only telling
11 half the story.

12 Wapp also says in their reply brief at page eight that
13 our construction is wrong because what we've cited confirmed
14 that the profile display window displays resources of the
15 network, and they highlight network, that are available to
16 the application. Well, that's entirely wrong.

17 If you look at what they cite, column ten, line 65 over
18 to column 11, line two, it says: In one embodiment,
19 capacity line 308 in profile display window 110 is
20 dynamically modified to show actual resource availability to
21 application, resulting from resource utilization by
22 simulated wireless network activity within device model.

23 In other words, because the network consumes resources
24 of the device, that line, that red cap out line, reduces it.
25 That's all that this passage says is that in that

1 embodiment, from the -- the network simulation is taken into
2 account, the cap out line lowers and the resources available
3 to the application of the mobile device are reduced.

4 Finally, same time is not the same as in real time, and
5 in real time means that it is simultaneously -- real time
6 means simultaneously as the application is played, as the
7 application is played. And something may be displayed at
8 the same time without being in real-time.

9 And I know we've all forgotten this because we're all
10 stuck at home and not watching sporting events anymore,
11 other than things that happened a long time ago. But if we
12 look at slide 92, we might all remember that a basketball
13 spectator watching a game live sees the player take the shot
14 in real time, while at the same time seeing the shot clock.
15 You see that in slide 92. In real time, the spectator sees
16 that shot, and at the same time, sees the shot clock and
17 tries to see whether or not that shot will be valid.

18 We see 0.1 seconds on the clock. It looks like it
19 would be a good shot. But if you go to the next slide, the
20 referees are not watching that shot in real time, but at the
21 same time they are watching -- they're reviewing it. So the
22 playback is taken of the shot taken, while at the same time
23 reviewing the shot clock, but that's not in real time.

24 So there is a difference between real-time and at the
25 same time. Real-time is as it's actually happening. At the

1 same time doesn't have to be in real-time.

2 And finally, Your Honor -- and I talked about this at
3 the beginning of my presentation and I didn't hear Mr.
4 Devlin say anything about in their briefing they say it's
5 not clear that it's a mistake.

6 But we believe -- and this is consistent with our
7 position that emulate and simulate are two different words
8 and used consistently throughout the specification, and
9 there was a mistake in the '192 patent where it says
10 simultaneously visually emulate network characteristics.
11 That was a drafting error, and it's clear from the
12 prosecution history that it was just a mistake that
13 wasn't -- there was an amendment, and you see that on the
14 next slide, slide 95.

15 There was an after allowance amendment where the
16 attorney changed the word "hardware characteristics" to
17 "network characteristics", but he failed to change emulate
18 to simulate. So previously it was emulate hardware
19 characteristics, which is entirely appropriate. The
20 emulation occurs of the hardware of the mobile device and
21 that's right, so the word "network" was substituted in for
22 "hardware". But for whatever reason, the word "emulate" was
23 not changed to "simulate", as it should have been.

24 And absent that, this claim would be invalid because
25 there's no support in the specification for emulating

1 network characteristics. Again, they are two different
2 words.

3 We see all of the remaining claims, going to the next
4 slide, slide 96, all of the remaining claims of the '192 are
5 consistent. They are talking about emulating hardware
6 characteristics. They never in any other claim talk about
7 emulating network characteristics.

8 So it's clear that the word "emulate" in claim 1 should
9 really be "simulate", and that's the way in which we have
10 construed it in our briefing, Your Honor.

11 And that concludes my presentation. I know I'm running
12 a bit long, and I'll pause and see if the Court has any
13 questions.

14 THE COURT: No, thank you, Mr. Reiter.

15 But, Mr. Devlin, I will tell you this. I agree with
16 the defense that these terms do need construction, in the
17 Court's view. And, of course, you haven't given the Court
18 really any construction. And so I'm going to ask you, do
19 you have some construction? Because I think it does need
20 construction, in the Court's view, so the question is where
21 do I come out on this?

22 MR. DEVLIN: Thank you, Your Honor. I'm looking at
23 the Defendants' proposal right now to see if parts of it would
24 be acceptable, and one option is to -- first of all, having
25 redundancy between at the same time and in real-time I think

1 can be removed. That's one problem where those -- where those
2 phrases occur.

3 I'm looking at slide 75, Your Honor, of our
4 presentation, which shows the different claim terms being
5 proposed for construction.

6 So Defendants' counsel noted some of the claims don't
7 use the word "simultaneously" and some do, but for the ones
8 that do, which is the upper window in slide 73, using "at
9 the same time" and "in real-time" -- and I appreciate
10 counsel's explanation of that, but we just think it's --
11 that's one issue, to remove that redundancy.

12 And the other is the limitation available to the
13 application as opposed to also adding an "or" there, that's
14 or used by the application as a result of the emulating
15 activities. The words after "available to the application",
16 to put in "or used by the application".

17 And then lastly, the word "imitate" being there, both
18 at the start and the end of that, I think you can remove
19 that word and adjust the construction slightly to take out
20 the "while". So at the same time, or choose real-time,
21 displaying one or more windows showing, again, in real-time
22 or at the same time, resources of the mobile device that are
23 available to the application or used by the application,
24 period. The rest of it, as a result of imitated activity,
25 is just to recap.

1 So that -- and, Your Honor, I can -- I did that off the
2 cuff, but I'm pretty sure we can get that to you within an
3 hour after this hearing, if it wasn't clear.

4 THE COURT: Well, that's fine.

5 MR. DEVLIN: So that was my first point. Thank you,
6 Your Honor.

7 THE COURT: Go ahead. Any other response?

8 MR. DEVLIN: Yes, of course. So I really want to
9 focus on this -- so I really responded to "at the same time"
10 and "real-time" already. They're just redundant phrases that
11 don't both need to be there, in our view.

12 And I talked about imitate, which, again, is just a way
13 to read into this claim element the word imitate -- sorry.
14 The Defendants' construction on simulate or emulate.

15 Another possibility, Your Honor, instead of the word
16 "imitate" is just use the word simulate or emulate again in
17 Defendants' proposal, but we'll clear that up and get you
18 something very clean very quickly after this hearing.

19 But as to this issue of the resources available to, the
20 requirement of this language of the claim, Your Honor,
21 that's just not right, and I want to point to two slides of
22 the Defendant and talk about them. These are Defendants'
23 slides now. The first is slide 90 and that gives us the
24 specification, and we went through a long recitation through
25 the slides in the 80s numbers of all these examples in the

1 specification that the Defendants are using to try to show
2 that the claims should be so limited as what they're
3 suggesting.

4 But the problem is they're just examples from the spec.
5 Even if those were the only examples shown, over and over
6 again the Fed Circuit has held that if the specification
7 just shows a single example, that's not a reason to limit
8 the claims to just that one example, when the claim language
9 is naturally broader. That would be wrong.

10 But it goes beyond that, because what's the real issue
11 here on the quote at the bottom from the specification, on
12 slide 90 of Defendants, is it's talking about showing the
13 utilization by. We get that.

14 But the first words in this part are "in one
15 embodiment", the specification is making clear this is one
16 thing that can be shown of these red lines, as they're
17 highlighted in red. But that's not necessarily what you
18 have to show. You can show something else. You can just
19 show how the application is using -- sorry -- the
20 application would be using the resources.

21 What's the evidence there? Well, in the claims you
22 have the '864 patent up in front of Your Honor, claim 20,
23 page one of these exemplary claims, and this shows, at least
24 as far as the exemplary claim here, but this shows the way
25 that the patent treats what can be shown.

1 So if we look at, for example, dependent claim 21, we
2 see that one of the things that can be displayed is the
3 resource utilization information graphically using a
4 timeline number to indicate points in time for the execution
5 of the application. And that is a reference to these points
6 in time here in the vertical bars, not just the horizontal
7 lines, but the vertical bars that are also an option of what
8 can be displayed.

9 Claim 24, also dependent from claim 20, says a little
10 more clearly you can simulate execution of one or more
11 frames of a frame-based application. Again, that's these
12 vertical bars.

13 Then probably more important, claim 25 talks about
14 identifying one or more frames where the resource
15 utilization exceeds the maximum number of maximum resource
16 availability. So that's a dependent claim.

17 The notion that you have to show -- necessarily that
18 you have to show when resources exceed available resources
19 and that that's inherent in every claim that visually shows
20 anything is just wrong. The patents say that's an option.
21 It's there as a dependent claim.

22 And so to read it into this phrase, which doesn't
23 require it, this phrase in particular, that would be
24 improper. That exclusion of the option of simply showing
25 available resources used by an application, not available to

1 an application. That -- that's one of the main problems
2 here.

3 So I'll stop there, Your Honor, unless you have
4 questions on that one.

5 THE COURT: No, that's fine. Any quick reply to that
6 before we go on to the next one?

7 MR. REITER: Yes. Thank you, Your Honor. I was
8 going to ask for that.

9 THE COURT: I knew you would so --

10 MR. REITER: I guess you're getting to know me. It's
11 been just a short while but you're getting to know me.
12 Thank you, Your Honor.

13 So I think very important, in response to what Mr.
14 Devlin said, claim 20 that he was focusing on and the
15 dependence to claim 20 don't have this language that we're
16 focusing on in claims 1, 1 and 1 of the three patents. It
17 doesn't have that language.

18 Claim 20 is very clear that it's talking about, as he
19 said, monitoring the application playing to determine
20 resource utilization by the application and displaying that.
21 That's different language than what we see in claim 1 of
22 each of the patents, and instead, claim 1 talks about
23 simulating a plurality of network characteristics indicative
24 of performance of the mobile device when executing the
25 application.

1 That's why it's so important to go back to those
2 figures that I was focusing on, for example, on slide 20,
3 which Mr. Devlin pointed Your Honor back to, is what is
4 happening to the performance of the mobile device is when
5 the network is interacting with the mobile device, that
6 performance of the mobile device is affected. The available
7 resources of the mobile device are reduced. That's what
8 claim 1 or the claim 1s of the various patents are talking
9 about, not what claim 20 and its dependents are talking
10 about.

11 So looking at claim 20, I agree that's different and I
12 agree that claim 20 talks about resource utilization, but
13 claim 1 does not. Claim 1 doesn't have that language.
14 Claim 20 doesn't have the language of claim 1. So to
15 compare and contrast those or use claim 20 to construe claim
16 1 is wrong. It's different embodiments. Different way of
17 characterizing the alleged invention.

18 So very quickly, again, every embodiment that we
19 disclosed -- and I pointed Your Honor to the In Re: Abbott
20 case that we cited at page eight of our brief. Every
21 embodiment talks about how the available resources are
22 necessary to determine whether or not the application is
23 going to work on a mobile device or whether it's going to
24 crash it. And every -- and in the embodiments, not every
25 embodiment, but in the embodiments in which the network

1 issues are at play, in which the network issues are at play,
2 then the -- then the performance of the mobile device is at
3 issue.

4 I don't believe, looking at claim 20, that we see
5 anything about the network, and I'm reading it very quickly.
6 Indicative of performance, emulating the mobile device,
7 running on a processor extrinsic, application playing within
8 the models, monitoring the application playing to determine
9 resource utilization. Nothing about the network, nothing at
10 all.

11 So claim 20, in this context, is a red herring that has
12 nothing to do with the construction of claim 1. And I'll
13 stop there and see if Your Honor has any questions.

14 THE COURT: No, that's good. Are we ready to go on
15 to "configure to"?

16 MR. DEVLIN: Yes, Your Honor.

17 THE COURT: Mr. Devlin, before we start there, let me
18 just say to try to help, because of the shortness in time, I
19 agree that this needs to be construed also, and I don't
20 necessarily see a problem with, in part, Defendants'
21 construction of saying configure to would mean actually program
22 to. So that's my thinking going in here, so I give that to
23 maybe help hone your arguments.

24 MR. DEVLIN: Sure, Your Honor. I guess if I were to
25 offer up a proposed construction on the fly here, I would just

1 remove "actually". Either programmed or implemented with
2 hardware and I would say and/or software obviously, to make
3 sure they're not mutually exclusive. I don't think anyone
4 would really try to make that argument, but if we're going to
5 be precise, let's do it.

6 You know, in our view the word "actually" is a little
7 bit confusing like what it means? How do you actually
8 program something as opposed to just programming? How do
9 actually implement something? What does that mean beyond
10 just implementing it in general?

11 So with the removal of that word, I think -- and,
12 again, this is one where maybe we can, without argument, put
13 in a proposal to Your Honor after the phone call, you know,
14 within the next two hours or overnight or whatever makes
15 sense for Your Honor, just so you have a clear record of our
16 proposal, but we could do that without argument.

17 THE COURT: That's fine.

18 MR. DEVLIN: I think this one's more clear than the
19 last one in the sense that I think if we remove the word
20 "actually" it would be -- I feel 90 percent confident we would
21 be okay.

22 THE COURT: So you're okay with -- let me make sure I
23 understand. You're saying right now you think you would be
24 okay just saying "configure to" being "programmed to"?

25 MR. DEVLIN: Yeah, I would just literally remove the

1 word "actually" from their construction, and then I guess I
2 would change the "or" to "and/or". So the result would be
3 programmed or implemented with hardware and/or software to.

4 THE COURT: Okay. Let me make sure the record is
5 clear. You're okay with their construction if we remove the
6 word "actually"?

7 MR. DEVLIN: Correct. And, again, if I could have an
8 hour at least to ruminate on this after the call, Your Honor.
9 If I can't, then the -- the proposal we would make would be
10 remove the word "actually" and change the word "or" to
11 "and/or", those two changes.

12 THE COURT: Okay. Let me ask, Mr. Reiter, what would
13 your thoughts be on that? Can we come to an agreement on that
14 or no?

15 MR. REITER: Your Honor, this is Mr. Reiter again.
16 I don't think so. My colleague, Mr. Robb, if it's okay
17 with the Court, is going to address this term and the
18 remaining two terms, so I'll introduce Mr. Robb to cover
19 this question.

20 THE COURT: Okay. Go ahead.

21 MR. ROBB: Thank you, Your Honor. So the term
22 "actually" is taken specifically from the case law, and this
23 comes from a long body of case law that recognizes that
24 "configure to" is more narrow than say "capable of". And to
25 emphasize that point, the term, the Courts use phrases like

1 "actually programs to" in the case of the two EDTX cases we
2 cite.

3 Another example is in Typhoon Touch Tech, which is a
4 Federal Circuit case that we cite, and it interprets
5 configure to as requiring that it must do something.

6 So the problem here, the claim language is largely
7 devoid of structure. It's a software -- it's a testing
8 system with software configured to, so software is about as
9 generic of a term as you can get.

10 The patentee intentionally chose the phrase "configured
11 to" which has an established meaning in patent law as being
12 particularly narrow. And it's not the case that this
13 limitation can be met if a customer tweaks and configures
14 and customizes and does all these different things in a way
15 that the product is not naturally implemented, right? The
16 product has to actually do this thing. It has to. It must
17 do this thing.

18 And so that point of emphasis, I think, is important
19 for informing the jury, which doesn't have the context of
20 this established meaning in patent law, to explain to them
21 what is meant by this phrase.

22 And then I'll just say on the "and/or" part, I think
23 and/or is redundant with "or". It can be implemented in
24 hardware or software. Our proposed construction is agnostic
25 to those two, and I think and/or is a grammatically

1 imprecise term that causes confusion.

2 THE COURT: Okay. Thank you. Mr. Devlin, do you
3 want to respond to that? I will say that I know that I think
4 the Defendants in their response brief indicated the Federal
5 Circuit case Aspex. It didn't deal with the exact terminology
6 in terms of defining this, but this idea of "configure to" is
7 narrow. So if you want to respond to Mr. Robb.

8 MR. DEVLIN: Yeah, the -- the case law that really is
9 is at the heart of this is this question of whether something
10 can be just capable of something, and there's a couple of
11 different layers to that.

12 So one thing Mr. Robb said that caught my attention is
13 that somehow someone actually has to use this in a certain
14 way. I don't think that's right. I think if -- if my
15 Microsoft Word program is able to import an Excel
16 spreadsheet, which I've never done, as long as that
17 functionality is operative and I can do it if I wanted to, I
18 think that would be within the scope of the claim.

19 If someone -- these cases with "configured to" or
20 "capable of" and so forth, it generally relates to
21 someone's -- you know, to remove the ability to do something
22 in the code, does that still qualify. I don't think -- I
23 mean, what's the point? I mean, there's really nothing to
24 do about it right now, I don't think, Your Honor, but I
25 wanted to note the point for the record, that there may be a

1 disagreement in the future around that.

2 Then just in general in terms of using one case and one
3 patent's extrinsic record to -- to construe the same terms
4 in another patent with a different extrinsic record, the
5 claims in those cases are very different than the claims
6 here, and we don't think they're necessarily controlling. I
7 don't think any patent -- any case of a patent with a
8 totally different intrinsic record is controlling as to
9 another patent. The intrinsic record is what controls. And
10 there's nothing here in the intrinsic record that says these
11 words or makes these words necessary here.

12 As I said, the main thing here, just the word
13 "actually", which I think will create confusion. The
14 "and/or" we may not have a dispute. As long as I -- I just
15 heard from Defendants they're not going to make the argument
16 that something that's both hardware and software
17 implementation is not going to be excluded from this -- I
18 think that's what I heard from them -- as long as we never
19 hear that argument, I think we're fine. I don't really care
20 whether it's "and/or". It feels like we're on the same
21 page.

22 THE COURT: Mr. Robb, anything else on this?

23 MR. ROBB: Yes, if I may respond briefly just to one
24 point.

25 The point about the different technologies, so Radware

1 answers this question. The long line of Federal Circuit
2 cases answer this question.

3 So in patent law, independent of any given technology
4 or any given patent, "configured to" has an established
5 understanding of being particularly narrow and narrower than
6 "capable of". And I think the best way to communicate that
7 well-understood, narrow meaning is through the phrase
8 "actually programmed" to do something.

9 It's not enough that it could be or might
10 hypothetically be. It must be actually programmed to do A,
11 B and C, and that's the construction that's been adopted by
12 courts in this district and that's the construction the
13 Defendants propose.

14 THE COURT: But you can't cite me any Federal Circuit
15 decision or any definitive statement from the Federal Circuit
16 that "configured to" means "actually programmed", can you?

17 MR. ROBB: So the -- not specific. The closest case
18 is Typhoon Touch Tech, which is another case we cite. Typhoon
19 Touch Tech, the case uses the phrase "configured to" and the
20 Court construes it as "it must", so it must do this thing.

21 THE COURT: Okay. Thank you. The last two terms
22 deal with indefiniteness. I didn't know if defense wants to go
23 first, Mr. Robb, on these last two and then the Plaintiff can
24 go, or --

25 MR. ROBB: Sure, defense is happy to go first.

1 THE COURT: Go ahead.

2 MR. ROBB: So starting with "the software",
3 Defendants contend that the software is indefinite as used in
4 certain claims.

5 Turning to slide 106, you see claim 1 and claim 2 of
6 the '678 patent. You see "a software testing interface" in
7 claim 1 and in claim 2 you have "the software as
8 configured".

9 So the question of what does the software refer to?
10 Does it refer to the software testing interface? Does it
11 refer to the overall system for testing?

12 I would make the point that in Plaintiff's opening
13 brief in connection with the "configured to" language, they
14 make the point that the whole -- the patents, the claims,
15 everything is directed to software systems. Now, on the one
16 hand, we whole-heartedly agree with that. The systems are
17 directed to software systems, right? The testing is done on
18 an emulated software system, not on a physical hardware
19 device.

20 But the system -- so the system overall is a software
21 system. And so does the software refer to the overall
22 software system, or does it refer to the specific software
23 testing interface? Or does it refer to some third module of
24 software, the profile display window or something else?

25 Turning to slide 107, we see that the ambiguity is

1 actually compounded by dependent claim 48. You have
2 software in the dependent claim being capable of importing
3 real world network profiles. Now, this is inconsistent with
4 how one would typically think of a software testing
5 interface, right? The testing interface, the user interface
6 that the user is working with is the optical display. You
7 see the profile display windows, those sorts of things.

8 You would think that the software module that is doing
9 the importation of the network profiles is going to be
10 something on the back end, something under the hood, but
11 something there that's not the testing interface.

12 So it's, again, unclear. Does the software that's
13 doing this particular thing, must it be a part of the
14 software testing interface, or if any software in the whole
15 system does this step, is that enough to satisfy the claim
16 limitations?

17 So on slide 108 we see Wapp's arguments, so Wapp points
18 to the '864 patent and says, well, in the '864 patent, that
19 answers the question because there you have software
20 configured to simulate in claim 1, and in dependent claim 2,
21 the software is further configured. They say because the
22 software in claim 2 refers up to software configured to in
23 claim 1, that that must mean that the software in claim 2 of
24 the '678 patent refers up to a software testing interface
25 configured to in claim 1 of the '678 patent.

1 This argument does them more harm than good. So in the
2 independent claims, "a software testing interface configured
3 to" must mean something different than "software configured
4 to", right? If they use different language in the different
5 claims, then there's a presumption that they mean something
6 else.

7 So "software configured to" is of course broader than
8 "software testing interface configured to". So "software
9 testing interface" is narrower.

10 So if the software is configured, as using claim 2 of
11 the '678, refers to the software testing interface, you now
12 have a disconnect between the software of the two claim 2s,
13 right? You're using the same language to refer to two
14 different things. Or when the narrowed software in the
15 independent claim 2 requires software testing interface,
16 right?

17 THE COURT: Well, Mr. Robb --

18 MR. ROBB: This is not --

19 THE COURT: Mr. Robb, let me go ahead and stop you.
20 Let me ask, why isn't it fair to read these claims as reciting
21 a testing interface that is implemented in software? Why isn't
22 it reasonably clear that the antecedent basis for the software
23 is the software testing interface?

24 MR. ROBB: So the software testing interface is
25 implemented in software, of course, but there are other

1 software modules in the system that are also definitionally
2 implemented in software, right? The whole system as a whole is
3 a software system.

4 So the software -- I think the fact that the software
5 interfaces implemented in software doesn't answer the
6 question of whether the software of dependent claim 2 refers
7 to that particular software module or some other software
8 module where we know, and Wapp admits, that the system as a
9 whole is a software system.

10 THE COURT: Okay. Go ahead.

11 MR. ROBB: That's all I have to say on that claim, in
12 the interest of time.

13 THE COURT: Okay.

14 MR. DEVLIN: Your Honor, Tim Devlin. I'll be very
15 brief here and we can just stay on the Defendants' slides so we
16 don't have to look at ours.

17 Looking at slide 107 right now, I think this sort of
18 negates the argument -- oh, by the way, claim 45 itself at
19 the end recites what's highlighted in claim 48 by
20 Defendants', the software can import real-world mobile
21 network profiles. I don't think there's anything special
22 about claim 48 here.

23 But looking at it and looking at the highlighted terms,
24 this to me seems abundantly clear that the antecedent basis
25 for the software in claim 48 and also at the end of claim 45

1 is the software testing interface.

2 I think Defendants would have an argument if the word
3 "software" was used anywhere else in these highlighted
4 terms. If it said a software system for testing and then it
5 said a software testing interface and later said the
6 software, well, now you might have some ambiguity. But I
7 don't think you do here. I think it's very clear that the
8 antecedent basis for the software is a software testing
9 interface. I can't see how you would read this claim any
10 other way.

11 And with respect to slide 108, the suggestion from
12 defense counsel was that we say this answers the question.
13 It doesn't answer the question. It just informs the same
14 thing. If you look at the analogous claims in another
15 patent, you see the same structure that one would naturally
16 draw anyway from the claims that recite software testing
17 interface.

18 So for us this is just additive, cumulative evidence
19 that there really is no antecedent basis question here. We
20 certainly won that result in invalidity, so that's it.

21 THE COURT: Thank you. Mr. Robb, any quick response
22 before we go to the last one?

23 MR. ROBB: Sorry. Give me one second.

24 Let me potentially come back to that. In the interest
25 of time, I want to move on to "the test", because I think

1 we can handle this very quickly, and then rather than look
2 through my notes, I can make the point in a little bit.

3 So for "the test", this is pretty straightforward. The
4 test we think is indefinite for lacking antecedent basis.
5 Turning to claim 111 -- sorry -- slide 111, dependent claim
6 9, it requires that an event occurred during the test.
7 During is the key language. So in order to know if
8 something occurs during the test, you need to know the metes
9 and bounds of when the test starts and stops.

10 And there is no answer provided anywhere in the
11 specification or anywhere in the claims as far as what is
12 the scope of the test. In fact, the specification says that
13 there are potentially hundreds of instances where you need
14 to run the process of evaluating whether the application
15 crashes or doesn't crash. So, for example, if each of those
16 hundreds of processes, are those all separate tests or are
17 they part of the same test?

18 And, again, it's an important distinction, because if
19 it's all a part of the same test, if there's one super test,
20 right? Then the one or more events that occur during the
21 test, essentially any time the event occurs, it would be
22 during the test.

23 On the other hand, if you narrowly define "the test" to
24 be something like one instance or one iteration, then you --
25 it's a lot less clear that it would be during the test. And

1 by not providing any metes and bounds to what the scope of
2 the test is, it is wholly unclear whether the events would
3 happen during that test.

4 THE COURT: Well, let me ask you this. This claim
5 ultimately depends on claim 1, because we're dealing with the
6 test in claim 9, which recites -- claim 1 recites a system for
7 testing. So why isn't it reasonably clear that the test in
8 claim 9 is the test that's carried out by the recited system?

9 MR. ROBB: Right. So the problem is that it's a
10 system for testing, and it is, you know, the test carried out
11 by the system. But by not more accurately defining what the
12 test is, what the antecedent basis of the test is, you don't
13 know what the scope of that test is. So you know you have a
14 system for testing, but you don't know have I run one test or
15 have I run a hundred tests. And without knowing that, you
16 don't know whether an individual event that occurred, occurred
17 within the scope of the test, right? Or during the test that
18 claim 9 requires.

19 THE COURT: Okay. Thank you. Mr. Devlin?

20 MR. DEVLIN: Very briefly, Your Honor. I think Your
21 Honor has identified what's the right concept here.

22 When the system is operating to do what is recited in
23 the claims, there's a test going on. The question of
24 whether there's one test or multiple tests, that's just not
25 even a material question. This is a compromising claim, and

1 that carries down through -- claim 1 uses the words, and of
2 course, that carries down through the recitation in claim 9.

3 And so if it happens for some tests that the user ever
4 wanted to define the scope of a test, whether you want to
5 look at that as a broad set of activities that would go on
6 or whether you want to look at each one of them individually
7 as a test, it doesn't matter, because if this happens during
8 any one at that time, it's happening during the test.

9 And even if we were to define test conceptually as some
10 narrow thing so that sometimes this doesn't happen, it just
11 doesn't matter, because it's a comprising claim.

12 In other words, all of that is a lot of legal analysis
13 that is inapplicable. This issue of one or multiple tests,
14 it's just immaterial as to what's going on here in this
15 claim and whether it's going to be met.

16 THE COURT: Well, let me ask --

17 MR. DEVLIN: And --

18 THE COURT: Let me ask this though.

19 MR. DEVLIN: Yes.

20 THE COURT: I mean, I guess you're not conceding, but
21 what is the explicit antecedent basis for the test in claim 9?

22 MR. DEVLIN: I think it's the activity that is
23 defined by claim 1. So if you look at those two things
24 together, they define certain things going on, and that's the
25 test that's being done on the system for testing, that the

1 system for testing requires it to do certain things. So when
2 those required things are going on, then if what's recited in
3 claim 9 happens, then you infringe.

4 THE COURT: Okay. So do you consider the preamble of
5 claim 1 to be limiting?

6 MR. DEVLIN: Yes. As I said before, Your Honor, we
7 don't -- we're fine with it being limiting. I said the Court
8 has already said that --

9 THE COURT: No, I know that, but I guess I'm trying
10 to figure out about -- it doesn't really cite any particular
11 test. So it's limiting, the system being for testing, but it
12 doesn't cite any particular test. Isn't that a problem?

13 MR. DEVLIN: Well, it's technically -- there's no
14 word "a test" in here, so it's a potential problem. That is,
15 technically there's an absence of appropriate antecedent basis.

16 But what the law says is that's not an invalidating
17 problem unless someone could not understand what the claim
18 meant. And we submit that case law in our brief and we
19 cited it in our slides, Your Honor, but for the sake of
20 brevity, I won't bother pulling it up, but it's there.

21 And here -- and this gets back to the preamble point
22 also -- is limiting. Well, you should construe a claim to
23 preserve validity. So the answer is if this antecedent
24 basis issue depends on that, then yes, it should be deemed
25 limiting. And I think that's probably the case here,

1 because the test does have some relationship obviously to
2 what's recited in claim 1, the system for testing. Again,
3 those aren't the only words. The system for testing,
4 comprising something. And claim 8 depends from that and
5 adds more something, and those somethings, what's going on
6 there, would be the test.

7 And claim 9 depends from claim 8 and refers to what's
8 going on in claim 8. So when that's happening, that's the
9 test. Basically when the system is being used for the
10 purpose recited in the claims, that's a test, because that's
11 what the system is for, as recited in claim 1, a system for
12 testing.

13 So while the Defendants are correct and Your Honor's
14 point is correct, there is no actual antecedent basis for
15 the term "the test", that doesn't end the inquiry. The
16 question then arises, would someone of ordinary skill in the
17 art be confused about this, and I say given the additional
18 recitations of claims 1 and 8, combined with the words of
19 the preamble, I think the answer to that is no. Someone of
20 ordinary skill in the art would have an understanding of
21 what the scope of this claim is, given the rest of that
22 information.

23 THE COURT: Thank you. Any response?

24 MR. ROBB: If I may just respond to a few points?

25 THE COURT: Yes, go ahead.

1 MR. ROBB: So, first, I think the suggestion was that
2 the standard was it could not be understood. Of course, that's
3 not the standard. After Nautilus the standard is whether it
4 would inform a person of skill in the art with reasonable
5 certainty about the scope of the claim. And I think this
6 conversation demonstrates that it would not inform someone of
7 reasonable certainty of the scope of the claim.

8 Second point, so the case law, Mr. Devlin referenced
9 Bose. In Bose there was an antecedent reference to an
10 ellipse and then a subsequent reference to an ellipse having
11 a major diameter. Of course, all ellipses have major
12 diameters, and so the fact that the antecedent basis only
13 said an ellipse, not an ellipse having a major diameter, did
14 not make it unclear what the ellipse having a major diameter
15 referred to, because all ellipses have major diameters.

16 Here that's -- it's a very different problem. So as
17 Your Honor indicated, there is no antecedent basis for the
18 test, right? It's a system for testing, but the word "test"
19 itself does not appear anywhere in the claim before it, and
20 there's simply no -- and I should also say that the fact
21 that it's a comprising of claim, right? Doesn't obviate the
22 need for an antecedent basis.

23 At the end of the day, without knowing what the scope
24 of the test is, we don't know whether a particular set of
25 actions by a user infringes the claim. The claim applies if

1 certain events happen during the test, and without knowing
2 what the scope of the test is, it's simply not possible to
3 know whether it occurred during the test. Nothing in the
4 claim, nothing in the specification provides any guidance on
5 that point.

6 THE COURT: All right.

7 MR. ROBB: I'm sorry. One final point. We talked
8 about a number of different kinds of tests today, and, you
9 know, so not knowing which test we're talking about, which
10 version of the test, which iteration of the test, you simply
11 don't know whether or not it happened during the test.

12 THE COURT: Okay. Thank you. Anything else on this
13 one?

14 MR. DEVLIN: Nothing further from Plaintiff, Your
15 Honor. Thank you.

16 THE COURT: Anything else from Defendants?

17 MR. REITER: Nothing further from Defendants, Your
18 Honor.

19 THE COURT: Well, thank y'all very much. I thought
20 this worked out. It's better in person, but certainly this was
21 a good substitute and we seemed to be able to -- seemed like we
22 handled it fine.

23 So I will -- my goal is always to get a decision out
24 within 30 days at the latest. Usually it's before that.
25 Let me ask, I know, Mr. Devlin, you were going to maybe want

1 to submit something else after conferring with your team on
2 a couple of different items. If you're going to submit
3 something one way or the other, can you do that by noon
4 tomorrow?

5 MR. DEVLIN: Yes, Your Honor, we will do that.

6 THE COURT: And then if that requires any kind of
7 response, if Defendants can submit something, if they feel
8 there's a need to respond, by 5:00 p.m. tomorrow then?

9 MR. REITER: Could we have until 9:00 o'clock the
10 next day, Your Honor?

11 THE COURT: Yes, that's fine, not a problem.

12 MR. REITER: Not 9:00 a.m. By 9:00 p.m.

13 THE COURT: That's fine.

14 MR. REITER: Thank you, Your Honor.

15 THE COURT: Okay. Y'all have a great day. Stay
16 safe, and thank you.

17 MR. REITER: Thank you, Your Honor.

18 MR. DEVLIN: Thank you, Your Honor.

19
20
21 I certify that the foregoing is a correct transcript from
22 the record of proceedings in the above-entitled matter.
23

24 _____
Jan Mason

Date

25